

COOLEY LLP
HEIDI L. KEEFE (SBN 178960) (hkeefe@cooley.com)
MARK R. WEINSTEIN (SBN 193043) (mweinstein@cooley.com)
RONALD S. LEMIEUX (SBN 120822) (rlemieux@cooley.com)
KYLE D. CHEN (SBN 239501) (kyle.chen@cooley.com)
Five Palo Alto Square, 4th Floor
3000 El Camino Real
Palo Alto, California 94306-2155
Telephone: (650) 843-5000
Facsimile: (650) 857-0663

STEPHEN R. SMITH (*pro hac vice*) (stephen.smith@cooley.com)
One Freedom Square
Reston Town Center
11951 Freedom Drive
Reston, VA 20190-5656
Telephone: (703) 456-8000
Facsimile: (703) 456-8100

Attorneys for Plaintiffs
HTC CORPORATION and
HTC AMERICA, INC.

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF CALIFORNIA
SAN JOSE DIVISION

HTC CORPORATION and HTC
AMERICA, INC.,

Plaintiffs,

v.

TECHNOLOGY PROPERTIES
LIMITED, PATRIOT SCIENTIFIC
CORPORATION, and ALLIACENSE
LIMITED,

Defendants.

Case No. 5:08-CV-00882 PSG

(Related to Case No. 5:08-CV-00877 PSG)

**RENEWED MOTION FOR ENTRY OF
JUDGMENT AS A MATTER OF LAW OF
NON-INFRINGEMENT [PER FED. R. CIV.
P. 50(b)]**

Complaint Filed: February 8, 2008
Trial Date: September 23, 2013

Date: December 10, 2013
Time: 10:00 a.m.
Place: Courtroom 5, 4th Floor
Judge: Hon. Paul S. Grewal

NOTICE OF MOTION AND MOTION

PLEASE TAKE NOTICE that, pursuant to Federal Rule of Civil Procedure 50(b), Plaintiffs HTC Corporation and HTC America, Inc. (collectively “HTC”) hereby renew their motion for judgment as a matter of law (“Motion”), for which the hearing is proposed to be held on December 10, 2013 at 10:00 a.m.

In this Motion, HTC seeks the entry of judgment as a matter of law on the ground that HTC does not infringe any of claims 6, 7, 9, 13, 14 or 15 of U.S. Patent No. 5,809,336 (“’336 patent”). This Motion is based on the Memorandum of Points and Authorities set forth below, the evidence and proceedings at trial, and such other matters as may be presented and allowed by the Court.¹

MEMORANDUM OF POINTS AND AUTHORITIES

HTC is entitled to judgment as a matter of law because, based on the evidentiary record at trial and the Court’s claim construction, no reasonable jury could have found that HTC infringes any asserted claim of the ’336 patent. Prior to trial, HTC sought summary judgment that none of the accused HTC products infringe the ’336 patent. In ruling on the motion, the Court found that the “entire oscillator” term excluded the use of any external clock to generate the signal used to clock the CPU. (Dkt. No. 585 at 11:1-2; Dkt. No. 616, at 2:4-7) “Nevertheless,” the Court held, “there remains a factual dispute whether HTC’s products contain an on-chip ring oscillator that is self-generating and does not rely on an input control to determine its frequency.” (*Id.* at 11:2-4.) The Court found that the summary judgment record presented “a classic factual question that requires a trial to answer.” (*Id.* at 11:6-7.)

This “classic factual question,” however, evaporated at trial. The trial record, including testimony elicited from TPL’s technical expert, established without question that in all accused HTC products, an “external clock” is “used to generate the signal used to clock the CPU” –

¹ Before the case was submitted to the jury, HTC brought a pre-verdict motion for judgment as a matter of law pursuant to Federal Rule of Civil Procedure 50(a) on October 1, 2013. (*See* Dkt. No. 647.) This renewed motion is timely filed pursuant to Federal Rule of Civil Procedure 50(b).

precisely what this Court found was excluded from the scope of the asserted claims. In particular, TPL conceded repeatedly at trial that all of the accused HTC products included an on-chip oscillator that relied on an input control to determine its frequency. Judgment as a matter of law, therefore, should be entered in HTC's favor.

I. LEGAL STANDARD

TPL's infringement claim against HTC at trial was based solely on literal infringement. (Declaration of Kyle D. Chen in Support of Renewed Motion for Entry of Judgment as a Matter of Law of Non-Infringement ("Chen Decl."), Ex. 1 (09/27/2013 Trial Tr. (Dkt. No. 643)) at 1012:25-1013:8.) Literal infringement could be found only if TPL established that "every limitation recited in the claim appears in the accused device, *i.e.*, when the properly construed claim reads on the accused device exactly." *DeMarini Sports, Inc. v. Worth, Inc.*, 239 F.3d 1314, 1331 (Fed. Cir. 2001) (internal quotations and citation omitted). "If any claim limitation is absent from the accused device, there is no literal infringement as a matter of law." *Bayer AG v. Elan Pharm. Research Corp.*, 212 F.3d 1241, 1247 (Fed. Cir. 2000).

In deciding a motion for judgment as a matter of law under Federal Rule of Civil Procedure 50, a district court must draw all reasonable inferences in favor of the nonmoving party and refrain from making credibility determinations or weighing the evidence. *See Reeves v. Sanderson Plumbing Prods., Inc.*, 530 U.S. 133, 150 (2000). Judgment as a matter of law should be entered because, based on the undisputed evidence presented at trial, no reasonable jury could have found that HTC literally infringes any claim of the '336 patent.

The question of literal infringement in this case did not turn on resolving conflicting evidence or weighing credibility of witnesses – the relevant facts surrounding the operation of the accused products were undisputed. Infringement instead turned on applying the undisputed operation of the accused products to the claim language as construed by the Court. The Federal Circuit has made it clear that in this situation – where there is no material dispute regarding the operation of the accused products – the question of literal infringement is properly decided as a matter of law. *See, e.g., MyMail, Ltd. v. Am. Online, Inc.*, 476 F.3d 1372, 1378 (Fed. Cir. 2007) ("Because there is no dispute regarding the operation of the accused systems, that issue [of literal

infringement] reduces to a question of claim interpretation and is amenable to summary judgment.”); *K-2 Corp. v. Salomon S.A.*, 191 F.3d 1356, 1362 (Fed. Cir. 1999) (“Because the relevant aspects of the accused device’s structure and operation are undisputed in this case, the question of whether [the accused product] literally infringes the asserted claims of the [patent-in-suit] turns on the interpretation of those claims.”); *see also Reeves*, 530 U.S. at 150 (“And the standard for granting summary judgment ‘mirrors’ the standard for judgment as a matter of law, such that ‘the inquiry under each is the same.’”) (quoting *Anderson v. Liberty Lobby, Inc.*, 477 U.S. 242, 250-51 (1986)).

II. JMOL OF NON-INFRINGEMENT SHOULD BE GRANTED TO HTC

A. No Reasonable Jury Could Find that HTC’s Products Satisfy the “Entire Oscillator” Limitation

The Court found that the term “entire oscillator” is properly understood to exclude the use of any external clock to generate the signal used to clock the CPU. (*See* Dkt. Nos. 585 at 11 and 616 at 2:4-7.) Based on this construction, the Court explained that the factual dispute for trial was “whether HTC’s products contain an on-chip ring oscillator that is self-generating *and does not rely on an input control to determine its frequency.*” (Dkt. No. 585 at 11:3-4 (emphasis added).) The Court’s identification of the relevant issue was consistent with the intrinsic record:

- “[T]he Magar microprocessor clock is frequency controlled by a crystal which is also external to the microprocessor. ... The Magar microprocessor in no way contemplates a variable speed clock as claimed.” (Dkt. No. 457-13, 7/7/1997 Amendment at 3-4 (TPL853_00002427-28).)
- “The Magar teaching is well known in the art as a conventional crystal controlled oscillator. It is specifically distinguished from the instant case in that it is both fixed-frequency (being crystal based) and requires an external crystal or external frequency generator.” (Dkt. No. 457-14, 2/10/1998 Amendment at 5 (TPL853_00002403).)
- “Even if the examiner is correct that the variable clock in Sheets is in the same integrated circuit as the microprocessor of system 100, that still does not give the claimed subject matter. In Sheets, a command input is required to change the clock speed.” (Dkt. No. 457-17, 1/13/1997 Amendment at 4) (TPL853_00002449).)

The testimony of TPL’s technical expert, Dr. Vojin Oklobdzija, confirmed that in all accused HTC products, an “external clock” is “used to generate the signal used to clock the

1 CPU,” precisely what the Court found (and the intrinsic record made clear) was excluded by the
 2 claim scope. In particular, the on-chip oscillator in the HTC products “rel[ies] on an input
 3 control to determine its frequency.” (Dkt. Nos. 585 at 11:2-4 and 616 at 2:6-7.) Dr.
 4 Oklobdzija’s testimony on this issue was consistent with the testimony of every other technical
 5 fact and expert witness that testified at trial.

6 As Dr. Oklobdzija acknowledged, although each accused HTC product includes either a
 7 Qualcomm, Texas Instruments (TI) or Samsung chip, for purposes of his infringement analysis,
 8 “they generally work the same way.” (Chen Decl., Ex. 2 (09/26/2013 Trial Tr. (Dkt. No. 642)) at
 9 734:16-18.) In particular, each chip includes a Phase Locked Loop (PLL) that receives input
 10 from an external (off-chip) reference signal which is based on a crystal. (*Id.* at 734:19-22, 735:6-
 11 19, 744:15-745:3.) This external reference signal is, according to Dr. Oklobdzija, “essential” to
 12 the PLLs in all of the accused HTC products. (*Id.* at 737:17-738:2.) The external reference
 13 produces a “stable” signal that “is **used** to adjust the frequency generated by the ring oscillator,
 14 so it has some relationship with it.” (*Id.* at 738:9-17 (emphasis added).) The purpose of the PLL,
 15 in fact, is to adjust the frequency of the on-chip oscillator based on that external reference. (*Id.* at
 16 746:11-18; *see also* Chen Decl., Ex. 3 (9/25/2013 Trial Tr. (Dkt. No. 641)) at 553:16-554:11.)
 17 The evidence at trial confirmed that all of the Qualcomm, TI and Samsung chips at issue in this
 18 case use such a PLL with an external reference signal. (*See, e.g.*, Chen Decl., Ex. 4 (Trial Ex.
 19 3084) at HTCTP0075742 (TCXO); Ex. 5 (Trial Ex. 3107) at QCHTCTPL0013601 (Fig. 12-1,
 20 TCXO), QCHTCTPL0013600; Ex. 6 (Trial Ex. 3109) at QCHTCTPL0017373; Ex. 7 (Trial Ex.
 21 3112) at QCHTCTPL0024020; Ex. 8 (Trial Ex. 3091) at HTCTPI0002154 (CLKTCXO); Ex. 9
 22 (Trial Ex. 3115) at TI-0001073 (CK_REF); Ex. 10 (Trial Ex. 3100) at PIC00004245-46 (XTIpll
 23 or EXTCLK.)

24 Dr. Oklobdzija also acknowledged that the signal used to clock the CPU is determined by
 25 a formula contained “in every textbook” that defines the relationship between the frequency of
 26 the reference signal and the output frequency of the on-chip oscillator. (Chen Decl., Ex. 2
 27 (09/26/2013 Trial Tr.) at 739:12-24, 749:4-6, 735:2-5.) The Qualcomm, TI and Samsung chips
 28 all use such a formula to determine the frequency of the signal used to clock the CPU. The

notation used to express this formula may differ from chip to chip, but in each case, the formula expressly relies on the external clock frequency as the input control to determine the frequency of the accused oscillator. (See, e.g., Chen Decl., Ex. 11 (Trial Ex. 3101) at QCHTCTPL0007812; Ex. 7 (Trial Ex. 3112) at QCHTCTPL0024021; Ex. 9 (Trial Ex. 3115) at TI-0001076; Ex. 12 (Trial Ex. 3117) at TI-0007192; Ex. 10 (Trial Ex. 3100) at PIC00004247.) One example of such a formula (for a Qualcomm chip) was discussed during Dr. Oklobdzija's cross-examination:

5.1 Output Frequencies

The PLL output clock frequency is given by:

$$f_{CLK} = f_{TCXO} * L * 2$$

(Chen Decl., Ex. 13 (Trial Ex. 3027) at QTPL-0013892.)

The formula shown above states that the output frequency of the on-chip clock (f_{CLK}) equals the frequency of the external crystal clock (f_{TCXO}), multiplied by "L," multiplied by 2. (Chen Decl., Ex. 2 (09/26/2013 Trial Tr.) at 743:5-20.) The table below, from the same page of Exhibit 3027, shows that the output clock signal frequency of the PLL is based on the external reference frequency (19.2 MHz) multiplied by "L" and 2. For example, for an "L" value of 10, the output of the on-chip clock will equal 19.2 MHz * 2 * 10, which equals 384 MHz. (*Id.* at 743:21-744:17, 748:22-749:6.)

Table 5-1 PLL output clock frequencies with 19.2 MHz reference

| Input frequency | L | PLL_L_VAL[5:0] | Output frequency (MHz) |
|-----------------|----|----------------|-------------------------|
| 19.2 MHz | 10 | 001010 | 384.0 |
| 19.2 MHz | 11 | 001011 | 422.4 |
| 19.2 MHz | 12 | 001100 | 460.8 |
| 19.2 MHz | 13 | 001101 | 499.2 |
| 19.2 MHz | 14 | 001110 | 537.6 |

(Chen Decl., Ex. 13 (Trial Ex. 3027) at QTPL-0013892.) Dr. Oklobdzija admitted that a

1 manufacturer can select the “L” value depending on what it wanted to achieve in its product.
 2 (Chen Decl., Ex. 2 (09/26/2013 Trial Tr.) at 746:8-18.)

3 As this example illustrates, the external clock in the accused HTC products is
 4 indisputably “used to generate the signal used to clock the CPU.” This is because the frequency
 5 of the on-chip oscillator (represented by the output frequency f_{clk}) is determined based on the
 6 external clock input (represented, for example, by the input frequency or TCXO above) in
 7 accordance with a precise mathematical formula. In this regard, the on-chip oscillator relies on
 8 an input control to determine its frequency. The “external clock,” simply put, is “used” to
 9 generate the signal used to clock the CPU because the external clock determines the frequency of
 10 that clock signal.²

11 The trial record is undisputed that all of the PLLs in all of the HTC accused products use
 12 a formula, similar to the one above, in which the signal used to clock the CPU has a frequency
 13 determined as a function of the frequency of the external clock. This was confirmed through the
 14 undisputed trial testimony of Sina Dena, Baher Haroun and Thomas Gafford. (*E.g.*, Chen Decl.,
 15 Ex. 14 (9/24/2013 Trial Tr. (Dkt. No. 640)) at 350:7-17, 364:22-366:1, 360:21-361:6; Ex. 1
 16 (9/27/2013 Trial Tr.) at 1046:9-14.)

17 TPL did not dispute that all accused HTC products include an external clock that controls
 18 the frequency of the on-chip oscillator. TPL conceded as much in closing argument, asserting
 19 that the external crystal is “used to limit or regulate the speed of the clock signal that is generated
 20 by the ring oscillator.” (Chen Decl., Ex. 15 (10/1/2013 Trial Tr. (Dkt. No. 666)) at 1551:16-18.)
 21 TPL’s concession eliminated the “factual dispute” that the Court identified as requiring a trial
 22 when it denied HTC’s motion for summary judgment. (Dkt. No. 585 at 11:2-4.)

23 TPL’s argument at trial focused on the suggestion that, for a system to be excluded from
 24 the “entire oscillator” definition, the signal from the external clock had to *directly* clock the
 25

26
 27 ² Indeed, the Office of Unfair Import Investigations for the U.S. International Trade Commission
 28 reached the same conclusion in responding to TPL’s Petition for Review of the ITC’s Initial
 Determination of no infringement by HTC of the ’336 Patent. *See* Request For Judicial Notice
 filed herewith, Exhibit A at pp.6-15.

1 CPU. In closing argument, for example, TPL argued that that the on-chip oscillator could be
 2 analogized to a sports car following an RV on the road – both vehicles having their own separate
 3 engines. TPL’s counsel asked the rhetorical question: “But is the RV and the engine in that
 4 motor home, is that used to generate the clock signal, or the engine power for the sports car?”
 5 (10/1/2013 Trial Tr. at 1552:18-20.) His response: “No way. No way. The sports car has its
 6 own engine, generates its own power.” (*Id.* at 1552:20-21.)

7 But the language of the Court’s construction, as well as the Court’s reasoning, did not
 8 support counsel’s inapposite analogy. The signal used to clock the CPU in each of the accused
 9 HTC products is undisputedly output by a PLL. That PLL output signal in every accused HTC
 10 product is defined by a precise formula that always includes the frequency of the signal
 11 generated by the external crystal clock. The Court’s claim construction of the “entire oscillator”
 12 term makes it clear that no external clock can be **used** in any way “to generate the signal used to
 13 clock the CPU.” The exclusion adopted by the Court does not require the external clock itself to
 14 directly generate the signal that actually clocks the CPU – all that is needed is for the external
 15 clock to be **used**. The “entire oscillator” limitation cannot be satisfied if an external clock is
 16 **used** in any way to generate the signal used to clock the CPU – which is indisputably the
 17 situation for all accused HTC products.

18 This case confirms the Federal Circuit’s observation that in cases involving alleged literal
 19 infringement, such as this one, the literal infringement question ultimately reduces to a legal
 20 question of claim interpretation when the operation of the accused products is undisputed. *See*
 21 *MyMail, supra*, 476 F.3d at 1378. The only “disputes” resolved by the jury involved the
 22 meaning of the Court’s exclusionary language and what it meant to “generate” a clock signal.
 23 The jury obviously struggled with these legal issues as evidenced by the two notes it sent out
 24 during its deliberations. (*See* Dkt. 656, at 15 (jury question asking for “court’s definition of
 25 ‘generate’”); *id.* at 16 (jury question asking for definition of “other parts” in Court’s instruction
 26 regarding legal affect of “comprising”).) While it is unclear how the jury ultimately came to its
 27 finding of literal infringement, the lack of any material dispute in the trial record makes it clear
 28 that it did not do so by resolving evidentiary conflicts or making credibility determinations.

1 Because the operation of the accused HTC products was undisputed, and that operation cannot as
 2 a matter of law meet the “entire oscillator” limitation required by all asserted claims, HTC is
 3 entitled to judgment as a matter of law of non-infringement.

4 **B. No Reasonable Jury Could Have Found that the Processing Frequency of the**
 5 **CPU and the “Entire Oscillator” Vary as a Function of the Fabrication or**
 6 **Operational Parameter Variation as Required by the Claims**

7 Based on the undisputed evidence presented at trial, no reasonable jury could have found
 8 that the accused HTC products meet the element of “varying the processing frequency of said
 9 first plurality of electronic devices [for the CPU] and the clock rate of said second plurality of
 10 electronic devices [for the “entire oscillator”] in the same way as a function of parameter
 11 variation in one or more fabrication or operational parameters associated with said integrated
 12 circuit substrate.” The evidence at trial established that the accused HTC products use fixed
 13 speed clocks that do not vary as a function of the variation in any of the fabrication or
 14 operational parameters. As Mr. Dena testified, for example, “[r]egarding PLL’s, I can tell you
 15 that PLL’s are designed to maintain the target frequency across PVT variations.” (*See* Chen
 16 Decl., Ex. 1 (9/27/2013 Trial Tr.) at 1062:2-3; *see also* Ex. 14 (9/24/2013 Trial Tr.) at 359:2-8
 17 (Haroun).) Using a fixed speed clock to clock the CPU was important to enable the HTC phones
 18 to operate consistently across all conditions. (Chen Decl., Ex. 1 (09/27/2013 Trial Tr.) at
 1031:9-1032:9.)

19 As explained in the previous section, based on the formulae that establish the PLL’s
 20 output signal frequency, the processing frequency of the CPU and the on-chip clock are a
 21 function of the fixed external reference signal and other factors relating to the PLL circuitry.
 22 None of the formulae for any Qualcomm, TI or Samsung chip recites any fabrication or
 23 operational parameter variation as playing any role in the determination of the PLL output
 24 frequency. The accused HTC products, therefore, do not meet the “varying” limitations as a
 25 matter of law.

26 **III. CONCLUSION**

27 For the foregoing reasons, HTC respectfully requests that the Court enter judgment as a
 28 matter of law under Rule 50(b) in favor of HTC.

1 Dated: October 31, 2013

Respectfully submitted,

2 COOLEY LLP
3 HEIDI L. KEEFE
4 MARK R. WEINSTEIN
5 RONALD S. LEMIEUX
6 STEPHEN R. SMITH
7 KYLE D. CHEN

By: /s/ Kyle D. Chen

Attorneys for HTC CORPORATION and
HTC AMERICA, INC.

10 1184434/HN

COOLEY LLP
HEIDI L. KEEFE (SBN 178960) (hkeefe@cooley.com)
MARK R. WEINSTEIN (SBN 193043) (mweinstein@cooley.com)
RONALD S. LEMIEUX (SBN 120822) (rlemieux@cooley.com)
KYLE D. CHEN (SBN 239501) (kyle.chen@cooley.com)
Five Palo Alto Square, 4th Floor
3000 El Camino Real
Palo Alto, California 94306-2155
Telephone: (650) 843-5000
Facsimile: (650) 857-0663

STEPHEN R. SMITH (*pro hac vice*) (stephen.smith@cooley.com)
One Freedom Square
Reston Town Center
11951 Freedom Drive
Reston, VA 20190-5656
Telephone: (703) 456-8000
Facsimile: (703) 456-8100

Attorneys for Plaintiffs
HTC CORPORATION and
HTC AMERICA, INC.

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF CALIFORNIA
SAN JOSE DIVISION

HTC CORPORATION and HTC
AMERICA, INC.,

Plaintiffs,

v.

TECHNOLOGY PROPERTIES
LIMITED, PATRIOT SCIENTIFIC
CORPORATION, and ALLIACENSE
LIMITED,

Defendants.

Case No. 5:08-CV-00882 PSG

(Related to Case No. 5:08-CV-00877 PSG)

**CHEN DECLARATION IN SUPPORT OF
RENEWED MOTION FOR ENTRY OF
JUDGMENT AS A MATTER OF LAW OF
NON-INFRINGEMENT [PER FED. R. CIV.
P. 50(b)]**

Complaint Filed: February 8, 2008
Trial Date: September 23, 2013

Date: December 10, 2013
Time: 10:00 a.m.
Place: Courtroom 5, 4th Floor
Judge: Hon. Paul S. Grewal

1 I, Kyle D. Chen, declare:

2 1. I am an attorney at the law firm of Cooley LLP, counsel in this action for Plaintiffs
3 HTC Corporation and HTC America, Inc. (collectively "HTC"). I make this declaration in
4 support of Plaintiffs' Renewed Motion for Entry of Judgment as a Matter of Law on Non-
5 Infringement (Per Fed. R. Civ. P. 50(b)) ("Motion"). I have personal knowledge based on
6 information provided to me of the facts contained within this declaration, and if called as a
7 witness, could testify competently to the matters contained herein.

8 2. Attached hereto as **Exhibit 1** are true and correct copies of excerpts from the
9 transcript of the trial proceedings in this case on September 27, 2013.

10 3. Attached hereto as **Exhibit 2** are true and correct copies of excerpts from the
11 transcript of the trial proceedings in this case on September 26, 2013.

12 4. Attached hereto as **Exhibit 3** are true and correct copies of excerpts from the
13 transcript of the trial proceedings in this case on September 25, 2013.

14 5. Attached hereto as **Exhibit 4** is a true and correct copy of an excerpt from Trial
15 Exhibit 3084, admitted into evidence in this case on September 26, 2013.

16 6. Attached hereto as **Exhibit 5** is a true and correct copy of an excerpt from Trial
17 Exhibit 3107, admitted into evidence in this case on September 26, 2013.

18 7. Attached hereto as **Exhibit 6** is a true and correct copy of an excerpt from Trial
19 Exhibit 3109, admitted into evidence in this case on October 1, 2013.

20 8. Attached hereto as **Exhibit 7** is a true and correct copy of an excerpt from Trial
21 Exhibit 3112, admitted into evidence in this case on October 1, 2013.

22 9. Attached hereto as **Exhibit 8** is a true and correct copy of an excerpt from Trial
23 Exhibit 3091, admitted into evidence in this case on September 26, 2013.

24 10. Attached hereto as **Exhibit 9** is a true and correct copy of an excerpt from Trial
25 Exhibit 3115, admitted into evidence in this case on September 30, 2013.

26 11. Attached hereto as **Exhibit 10** is a true and correct copy of an excerpt from Trial
27 Exhibit 3100, admitted into evidence in this case on October 1, 2013.
28

12. Attached hereto as **Exhibit 11** is a true and correct copy of an excerpt from Trial Exhibit 3101, admitted into evidence in this case on October 1, 2013.

13. Attached hereto as **Exhibit 12** is a true and correct copy of an excerpt from Trial Exhibit 3117, admitted into evidence in this case on October 1, 2013.

14. Attached hereto as **Exhibit 13** is a true and correct copy of an excerpt from Trial Exhibit 3027, admitted into evidence in this case on September 26, 2013.

15. Attached hereto as **Exhibit 14** are true and correct copies of excerpts from the transcript of the trial proceedings in this case on September 24, 2013.

16. Attached hereto as **Exhibit 15** are true and correct copies of excerpts from the transcript of the trial proceedings in this case on October 1, 2013.

I declare under penalty of perjury that to the best of my knowledge the foregoing is true and correct. Executed on October 31, 2013 in Palo Alto, California.

/s/ Kyle D. Chen
Kyle D. Chen

1188063

EXHIBIT 1

901

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF CALIFORNIA
SAN JOSE DIVISION

HTC CORPORATION AND HTC AMERICA, INC.,
PLAINTIFF,
VS.
TECHNOLOGY PROPERTIES LIMITED,
PATRIOT SCIENTIFIC CORPORATION
AND ALLIACENSE LIMITED,
DEFENDANT.

C-08-00882 PSG
SAN JOSE, CALIFORNIA
SEPTEMBER 27, 2013
VOLUME 5
PAGES 901-1153

TRANSCRIPT OF PROCEEDINGS
BEFORE THE HONORABLE PAUL S. GREWAL
UNITED STATES MAGISTRATE JUDGE

A P P E A R A N C E S :

FOR THE PLAINTIFF: COOLEY LLP
BY: HEIDI KEEFE
RON LEMIEUX
3175 HANOVER STREET
PALO ALTO, CALIFORNIA 94304

APPEARANCES CONTINUED ON NEXT PAGE

OFFICIAL COURT REPORTER: LEE-ANNE SHORTRIDGE, CSR, CRR
CERTIFICATE NUMBER 9595

PROCEEDINGS RECORDED BY MECHANICAL STENOGRAPHY
TRANSCRIPT PRODUCED WITH COMPUTER

UNITED STATES COURT REPORTERS

902

APPEARANCES (CONTINUED)

FOR THE PLAINTIFF: COOLEY LLP
BY: STEPHEN R. SMITH
1299 PENNSYLVANIA AVENUE, NW
SUITE 700
WASHINGTON, D.C. 20004

BY: MATTHEW J. LEARY
380 INTERLOCKEN CRESCENT, SUITE 900
BROOMFIELD, COLORADO 80021

ALSO PRESENT: VINCENT LAM

FOR DEFENDANTS
TPL AND BY: AGILITY IP LAW
ALLIACENSE: JAMES C. OTTESON
IRVIN E. TYAN
THOMAS T. CARMACK
VINCENT K. YEE
DAVID LANSKY
149 COMMONWEALTH DRIVE
MENLO PARK, CALIFORNIA 94025

FOR DEFENDANT KIRBY, NOONAN, LANCE & HOGE
PATRIOT: CHARLES T. HOGE
35 TENTH AVENUE
SAN DIEGO, CALIFORNIA 92101

ALSO PRESENT: CHARLES MOORE
CLIFFORD FLOWERS
DAN LECKRONE
MACK LECKRONE

UNITED STATES COURT REPORTERS

INDEX OF WITNESSES

DEFENDANTS'

STEPHEN PROWSE

DIRECT EXAM BY MR. LANSKY (RES.) P. 916
CROSS-EXAM BY MS. KEEFE P. 927
REDIRECT EXAM BY MR. LANSKY P. 978

GLORIA FELCYN

DIRECT EXAM BY MR. HOGE P. 991
CROSS-EXAM BY MR. LEMIEUX P. 1001
REDIRECT EXAM BY MR. HOGE P. 1006

PLAINTIFF'S

MARTIN FICHTER

DIRECT EXAM BY MS. KEEFE P. 1014
CROSS-EXAM BY MR. CARMACK P. 1033
REDIRECT EXAM BY MS. KEEFE P. 1039

SINA DENA

DIRECT EXAM BY MR. SMITH P. 1040
CROSS-EXAM BY MR. MARSH P. 1058
REDIRECT EXAM BY MR. SMITH P. 1073
RECROSS-EXAM BY MR. MARSH P. 1091
FURTHER REDIRECT EXAM BY MR. SMITH P. 1091

YU HSIN LIANG

DIRECT EXAM BY MS. KEEFE P. 1095
CROSS-EXAM BY MR. CARMACK P. 1104
REDIRECT EXAM BY MS. KEEFE P. 1114

CHRISTOPHER BOKHART

DIRECT EXAM BY MS. KEEFE P. 1114

INDEX OF EXHIBITS

MARKED ADMITTED

DEFENDANTS'

1628 1112

UNITED STATES COURT REPORTERS

904

SAN JOSE, CALIFORNIA SEPTEMBER 27, 2013

08:59AM **2** P R O C E E D I N G S

08:59AM **3** (JURY OUT AT 9:07 A.M.)

09:07AM **4** THE COURT: MR. RIVERA, WOULD YOU PLEASE CALL THE
09:07AM **5** MATTER THAT'S BEEN SET FOR TRIAL.

09:07AM **6** THE CLERK: YES, YOUR HONOR. CALLING HTC

09:07AM **7** CORPORATION, ET AL VERSUS TECHNOLOGIES PROPERTIES LIMITED, ET
09:07AM **8** AL, CASE NUMBER CV-08-00882 PSG, MATTER ON FOR TRIAL.

09:07AM **9** COUNSEL, PLEASE STATE YOUR APPEARANCES.

09:07AM **10** MR. OTTESON: GOOD MORNING. YOUR HONOR. JIM OTTESON
09:07AM **11** FROM AGILITY IP LAW.

09:07AM **12** I NOTE THAT THE 49ER'S WON LAST NIGHT, SO I'M TRYING NOT
09:07AM **13** TO DO ANYTHING TO SPOIL THE MOOD TODAY.

09:08AM **14** THE COURT: I APPRECIATE THAT, MR. OTTESON. GOOD
09:08AM **15** MORNING.

09:08AM **16** MR. HOGE: GOOD MORNING, YOUR HONOR. CHARLIE HOGE
09:08AM **17** FOR PATRIOT SCIENTIFIC.

09:08AM **18** THE COURT: GOOD MORNING TO YOU AS WELL, SIR.

09:08AM **19** MS. KEEFE: GOOD MORNING, YOUR HONOR. HEIDI KEEFE
09:08AM **20** FROM COOLEY FRO HTC.

09:08AM **21** THE COURT: GOOD MORNING TO YOU AS WELL, MS. KEEFE.

09:08AM **22** I HAVE BEFORE ME A MOTION FOR A, OR A REQUEST FOR A
09:08AM **23** CURATIVE INSTRUCTION, SO I BELIEVE WE NEED TO ADDRESS THAT
09:08AM **24** ISSUE.

09:08AM **25** ARE THERE ALSO EVIDENTIARY OBJECTIONS AND OTHER MATTERS WE

UNITED STATES COURT REPORTERS

12:37PM **1** GENERATE THE SIGNAL USED TO CLOCK THE CPU.

12:37PM **2** THE TESTIMONY HAS SHOWN THAT NONE OF THE HTC PRODUCTS HAS

12:37PM **3** AN ENTIRE OSCILLATOR AS CONSTRUED BY THE COURT, NOR DO HTC'S

12:37PM **4** PRODUCTS PRACTICE THE ELEMENT OF, QUOTE, "VARYING THE

12:37PM **5** PROCESSING FREQUENCY OF SAID FIRST PLURALITY OF ELECTRONIC

12:37PM **6** DEVICES, AND THE CLOCK RATE OF SAID SECOND PLURALITY OF

12:37PM **7** ELECTRONIC DEVICES, IN THE SAME WAY AS A FUNCTION OF PARAMETER

12:37PM **8** VARIATION IN ONE OR MORE FABRICATION OR OPERATIONAL PARAMETERS

12:37PM **9** ASSOCIATED WITH SAID INTEGRATED CIRCUIT SUBSTRATE, THEREBY

12:38PM **10** ENABLING SAID PROCESSING FREQUENCY TO TRACK SAID CLOCK RATE IN

12:38PM **11** RESPONSE TO SAID PARAMETER VARIATION," END QUOTE, AS CONSTRUED

12:38PM **12** BY THE COURT.

12:38PM **13** THE TRIAL EVIDENCE HAS NOT SHOWN THAT THE ACCUSED PRODUCTS

12:38PM **14** VARY BASED ON ANY OF THESE PARAMETERS.

12:38PM **15** WE MAKE THIS MOTION ON THE BASIS THAT THE PATENTEES DID

12:38PM **16** NOT SHOW INFRINGEMENT, EITHER LITERALLY OR UNDER THE DOCTRINE

12:38PM **17** OF EQUIVALENTS, WITH RESPECT TO ANY ASSERTED CLAIM.

12:38PM **18** DEFENDANTS HAVE ALSO FAILED TO PRESENT A LEGALLY

12:38PM **19** SUFFICIENT EVIDENTIARY BASIS THAT ANY ALLEGED INFRINGEMENT BY

12:38PM **20** HTC WAS WILLFUL.

12:38PM **21** THE EVIDENCE AT TRIAL HAS ESTABLISHED AN ABSENCE OF ANY

12:38PM **22** EVIDENCE FOR EITHER THE OBJECTIVE OR SUBJECTIVE PRONG OF THE

12:38PM **23** WILLFUL INFRINGEMENT TEST.

12:38PM **24** ON THE OBJECTIVE PRONG, AS WE EXPLAINED IN OUR SUMMARY

12:38PM **25** JUDGMENT MOTION, THE OBJECTIVE PRONG IS A LEGAL DETERMINATION

UNITED STATES COURT REPORTERS

12:40PM **1** WE THANK THE COURT FOR ITS AND WE PLAN ON SUBMITTING FULL

12:40PM **2** WRITTEN BRIEFING LATER, BUT THIS PRESERVES OUR RECORD AND WE

12:40PM **3** WOULD APPRECIATE THE COURT'S RULING.

12:40PM **4** THE COURT: THANK YOU, MS. KEEFE.

12:40PM **5** MR. OTTESON, DO YOU WANT TO RESPOND? OR MR. HOGE?

12:40PM **6** MR. OTTESON: THANK YOU, YOUR HONOR.

12:40PM **7** DEFENDANTS OPPOSE HTC'S RULE 50(A) MOTION FOR JUDGMENT AS

12:40PM **8** A MATTER OF LAW ON ALL OF THE ISSUES FOR WHICH THEY MOVE.

12:41PM **9** WE BELIEVE THAT WE HAVE SHOWN AN EVIDENTIARY BASIS FOR

12:41PM **10** INFRINGEMENT OF THE '336 PATENT, THAT WE HAVE PROVIDED EVIDENCE

12:41PM **11** THAT THE ACCUSED HTC PRODUCTS INCLUDE THE ENTIRE OSCILLATOR, AS

12:41PM **12** WELL AS SATISFYING THE VARIED IN THE SAME WAY LIMITATIONS THAT

12:41PM **13** HAVE BEEN DISCUSSED BY MS. KEEFE.

12:41PM **14** WE ALSO BELIEVE THAT THERE IS MORE THAN A SUFFICIENT BASIS

12:41PM **15** FOR A FINDING OF INDIRECT INFRINGEMENT WITH RESPECT TO

12:41PM **16** INDUCEMENT. LITERATURE IS PROVIDED BY HTC TO ITS CUSTOMERS

12:41PM **17** INSTRUCTING THEM TO USE A USB CABLE IN AN INFRINGING MANNER, IN

12:41PM **18** OTHER WORDS, TO BASICALLY PROVIDE A SECOND CLOCK SIGNAL AS

12:41PM **19** REQUIRED BY THE CLAIMS.

12:41PM **20** SO WE BELIEVE THAT THERE IS PLENTY OF EVIDENCE THERE, AS

12:41PM **21** WELL AS HTC'S KNOWLEDGE OF NOT ONLY THE PATENT ITSELF, BUT THAT

12:41PM **22** SPECIFIC ARGUMENT, BECAUSE THAT ARGUMENT WAS ACTUALLY MADE TO

12:41PM **23** THEM IN BRIEFINGS BY ALLIACENSE.

12:42PM **24** SO WE BELIEVE WE DEFINITELY SATISFY THAT, WHICH ALSO LEADS

12:42PM **25** INTO THE ISSUE OF WILLFULNESS.

UNITED STATES COURT REPORTERS

12:39PM **1** MADE BY THE COURT, SO NOW THAT THE COURT HAS ALLOWED TPL TO BE

12:39PM **2** HEARD ENTIRELY ON THIS ISSUE, IT SHOULD REMOVE THE ISSUE FROM

12:39PM **3** THE JURY'S CONSIDERATION.

12:39PM **4** TPL HAS ALSO FAILED TO SHOW THE REQUISITE OBJECTIVE LEVEL

12:39PM **5** OF RECKLESSNESS WITH RESPECT TO THE ALLEGED INFRINGEMENT.

12:39PM **6** DEFENDANTS HAVE ALSO FAILED TO PRESENT A LEGALLY

12:39PM **7** SUFFICIENT EVIDENTIARY BASIS FOR ANY ALLEGED INDIRECT

12:39PM **8** INFRINGEMENT. IT IS NOT CLEAR IF TPL IS EVEN PURSUING INDIRECT

12:39PM **9** INFRINGEMENT AT THIS TIME GIVEN THE REDUCTION IN NUMBER OF

12:39PM **10** ASSERTED CLAIMS, BUT IN ANY CASE, THERE HAS NOT BEEN A LEGALLY

12:39PM **11** SUFFICIENT EVIDENTIARY BASIS THAT HTC POSSESSED THE REQUISITE

12:39PM **12** SPECIFIC INTENT FOR ANY FORM OF INDIRECT INFRINGEMENT,

12:39PM **13** INCLUDING INDUCEMENT OR CONTRIBUTORY INFRINGEMENT.

12:39PM **14** DEFENDANTS HAVE ALSO FAILED TO PRESENT A LEGALLY

12:39PM **15** SUFFICIENT EVIDENTIARY BASIS TO SHOW THAT THEY ARE ENTITLED TO

12:39PM **16** DAMAGES FOR ANY ALLEGED INFRINGEMENT BY HTC.

12:40PM **17** THE TRIAL EVIDENCE HAS SHOWN THAT TPL RELIED ON FULL

12:40PM **18** PORTFOLIO LICENSES THAT ARE NOT COMPARABLE TO THE HYPOTHETICAL

12:40PM **19** '336 ONLY LICENSE AT ISSUE IN THIS CASE AND RELIED ON A DAMAGES

12:40PM **20** THEORY THAT IS NOT TIED TO THE FACTS AND CIRCUMSTANCES OF THE

12:40PM **21** CASE.

12:40PM **22** TPL HAS ALSO FAILED TO PRESENT EVIDENCE REGARDING THE

12:40PM **23** ALLEGED IMPORTANCE OF THE '336 PATENT AND, THUS, HAS NOT

12:40PM **24** PROVIDED A LEGALLY SUFFICIENT EVIDENTIARY BASIS FOR ITS

12:40PM **25** REASONABLE ROYALTY DEMAND.

UNITED STATES COURT REPORTERS

12:42PM **1** WE ALSO BELIEVE THAT WE HAVE SUBMITTED AN EVIDENTIARY

12:42PM **2** BASIS TO SUPPORT BOTH SUBJECTIVE AND OBJECTIVE WILLFULNESS

12:42PM **3** BASED ON THE EVIDENCE, THE TESTIMONY FROM MAC LECKRONE, THE

12:42PM **4** DOCUMENTS THAT WERE PROVIDED, THE COMMUNICATIONS THAT WERE

12:42PM **5** EXCHANGED BETWEEN THE PARTIES.

12:42PM **6** AND IN TERMS OF DAMAGES, I BELIEVE THAT WITH RESPECT TO

12:42PM **7** THIS PORTFOLIO AND HOW THE LICENSING PROGRAM WAS DONE UNDER

12:42PM **8** THIS PORTFOLIO, WHICH INCLUDES THE '336 PATENT AS THE COURT HAS

12:42PM **9** ALREADY RECOGNIZED IN DENYING THEIR MOTION FOR SUMMARY

12:42PM **10** JUDGMENT.

12:42PM **11** WE HAVE SUBMITTED MORE THAN A SUFFICIENT EVIDENTIARY BASIS

12:42PM **12** FOR THE MEASURE OF DAMAGES THAT HAVE BEEN TESTIFIED TO BY

12:42PM **13** DR. PROWSE.

12:42PM **14** AND SO WE WOULD ASK THAT THE COURT DENY THEIR MOTION IN

12:42PM **15** ITS ENTIRETY.

12:42PM **16** THE COURT: MR. OTTESON, CAN YOU ASK JUST A COUPLE

12:42PM **17** QUESTIONS? IT SEEMS THAT THE PARTIES AGREE THERE IS NO

12:43PM **18** CONTRIBUTORY INFRINGEMENT CLAIM IN THIS CASE. IS THAT CORRECT?

12:43PM **19** MR. OTTESON: YES, THAT IS CORRECT.

12:43PM **20** THE COURT: OKAY. ON THAT BASIS, TO THE EXTENT THAT

12:43PM **21** THERE EVEN IS ONE, I'LL GRANT THE RULE 50(A) MOTION ON THAT

12:43PM **22** ISSUE.

12:43PM **23** MS. KEEFE: I'LL TAKE THAT AS A VICTORY, YOUR HONOR.

12:43PM **24** THANK YOU.

12:43PM **25**

THE COURT: LET ME SEE ABOUT ANOTHER POSSIBILITY,

UNITED STATES COURT REPORTERS

12:43PM **1** MS. KEEFE.

12:43PM **2** ON EQUIVALENTS, D.O.E., I DIDN'T HEAR ANYTHING COMING OUT

12:43PM **3** OF DR. OKLOBDZIJA OR ANY OF THE OTHER WITNESSES AS TO D.O.E.

12:43PM **4** CAN WE AGREE THAT D.O.E. IS OUT?

12:43PM **5** MR. OTTESON: YES, YOUR HONOR.

12:43PM **6** THE COURT: ON THAT BASIS I'LL GRANT THE SECOND

12:43PM **7** VICTORY, AS IT WERE, AND GRANT THE 50(A) MOTION ON THE D.O.E.

12:43PM **8** CLAIM ALONE.

12:43PM **9** MS. KEEFE: THANK YOU, YOUR HONOR.

12:43PM **10** THE COURT: WITH RESPECT TO LITERAL INFRINGEMENT,

12:43PM **11** WILLFULNESS, INDUCEMENT, AND DAMAGES, THE MOTION IS DENIED.

12:43PM **12** ISSUES ARE PRESERVED AND OF COURSE, DEPENDING ON THE OUTCOME,

12:43PM **13** YOU CAN RENEW THOSE MOTIONS POST-TRIAL.

12:43PM **14** MS. KEEFE: APPRECIATE IT. THANK YOU, YOUR HONOR.

12:43PM **15** MR. OTTESON: THANK YOU, YOUR HONOR.

12:43PM **16** THE COURT: ALL RIGHT. ANY OTHER ISSUES BEFORE WE

12:43PM **17** GET BACK TO THE JURY? IF NOT, LET'S STAND.

12:43PM **18** MR. RIVERA.

12:45PM **19** (PAUSE IN PROCEEDINGS.)

12:46PM **20** (JURY IN AT 12:46 P.M.)

12:47PM **21** THE COURT: MEMBERS OF THE JURY, WELCOME BACK. I

12:47PM **22** HOPE YOU HAD A GOOD LUNCH. YOU WILL RECALL THAT JUST BEFORE WE

12:47PM **23** BROKE, TPL HAD RESTED IN ITS CASE.

12:47PM **24** WE WILL NOW HEAR FROM HTC IN ITS CASE.

12:47PM **25** MS. KEEFE, YOU MAY CALL YOUR FIRST WITNESS.

UNITED STATES COURT REPORTERS

12:48PM **1** Q. AND WHAT IS YOUR TITLE AT HTC?

12:48PM **2** A. I'M THE VICE-PRESIDENT OF PRODUCT AND OPERATIONS FOR HTC

12:48PM **3** AMERICA.

12:48PM **4** Q. BEFORE WE GET INTO EXACTLY WHAT DO YOU AT HTC, BECAUSE

12:48PM **5** WE'RE GOING TO GO PRETTY DEEP INTO THAT, I'D LIKE TO ASK A FEW

12:48PM **6** MORE QUESTIONS ABOUT YOUR BACKGROUND SO THE JURY CAN GET TO

12:48PM **7** KNOW YOU JUST A LITTLE BIT.

12:48PM **8** A. SURE. NO PROBLEM.

12:48PM **9** Q. MR. FICHTER, WHERE DO YOU CURRENTLY LIVE?

12:48PM **10** A. I LIVE IN ISSAQUAH. THAT'S A SMALL CITY JUST EAST OF

12:48PM **11** SETTLE, WASHINGTON STATE.

12:48PM **12** Q. I HEAR A LITTLE BIT OF AN ACCENT. WHERE DID YOU GROW UP?

12:49PM **13** A. THANK YOU FOR CALLING IT A LITTLE. I'M GERMAN. I GREW UP

12:49PM **14** IN BERLIN, IN GERMANY.

12:49PM **15** Q. HOW LONG HAVE YOU LIVED IN THE UNITED STATES?

12:49PM **16** A. WELL, MY WIFE AND MY DAUGHTERS, WE CAME OVER IN 2000S,

12:49PM **17** EARLY 2000S, SO ABOUT 13, 14 YEARS.

12:49PM **18** Q. HAVE YOU SPENT ALL OF YOUR TIME IN WASHINGTON SINCE YOU

12:49PM **19** CAME TO THE UNITED STATES?

12:49PM **20** A. WE MOVED TO SAN DIEGO FIRST, SPENT TEN YEARS IN CALIFORNIA

12:49PM **21** BEFORE WE MOVED TO SEATTLE.

12:49PM **22** Q. YOU AND I WERE TEASING YESTERDAY. YOU PREFER CALIFORNIA;

12:49PM **23** RIGHT?

12:49PM **24** A. LOOKING OUT THERE, THE SUN, I LIKE IT BETTER THAN THE

12:49PM **25** RAIN.

UNITED STATES COURT REPORTERS

12:47PM **1** MS. KEEFE: THANK YOU, YOUR HONOR.

12:47PM **2** YOUR HONOR, HTC CALLS MARTIN FICHTER TO THE STAND,

12:47PM **3** PLEASE.

12:47PM **4** THE COURT: MR. FICHTER, GOOD AFTERNOON, SIR.

12:47PM **5** WELCOME.

12:47PM **6** THE WITNESS: GOOD AFTERNOON. WHERE DO I GO?

12:48PM **7** THE COURT: IF YOU COULD APPROACH THE WITNESS STAND,

12:48PM **8** I WOULD APPRECIATE IT. YOU WILL NEED TO BE SWORN BEFORE YOU

12:48PM **9** TAKE YOUR SEAT, SIR.

12:48PM **10** MR. RIVERA, PLEASE SWEAR THE WITNESS.

12:48PM **11** THE CLERK: RAISE YOUR RIGHT HAND.

12:48PM **12** (MARTIN FICHTER, PLAINTIFF'S WITNESS, WAS SWORN.)

12:48PM **13** THE WITNESS: I DO.

12:48PM **14** THE CLERK: THANK YOU. PLEASE BE SEATED.

12:48PM **15** THE WITNESS: THANK YOU.

12:48PM **16** THE COURT: PLEASE STATE YOUR FULL NAME AND SPELL

12:48PM **17** YOUR LAST NAME FOR THE RECORD.

12:48PM **18** THE WITNESS: MY NAME IS MARTIN FICHTER,

12:48PM **19** F-I-C-H-T-E-R.

12:48PM **20** DIRECT EXAMINATION

12:48PM **21** BY MS. KEEFE:

12:48PM **22** Q. GOOD AFTERNOON, MR. FICHTER.

12:48PM **23** A. GOOD AFTERNOON.

12:48PM **24** Q. MR. FICHTER, WHERE DO YOU WORK?

12:48PM **25** A. I WORK AT HTC AMERICA.

UNITED STATES COURT REPORTERS

12:49PM **1** Q. DO YOU HAVE ANY FAMILY, MR. FICHTER?

12:49PM **2** A. YEAH. MY WIFE AND MY TWO DAUGHTERS. MY OLDER ONE, SHE'S

12:49PM **3** IN HER YEAR NUMBER THREE AT REED COLLEGE IN PORTLAND. SHE'S

12:49PM **4** GOING TO MAJOR IN LINGUISTICS. I GUESS IT'S ALL THESE

12:49PM **5** DIFFERENT LANGUAGES THAT LED HER THERE.

12:49PM **6** MY YOUNGER ONE, SHE'S A FRESHMAN AT VASSAR IN

12:49PM **7** POUGHKEEPSIE, NEW YORK. SHE'S LOOKING MORE LIKE INTERNATIONAL

12:49PM **8** MANAGEMENT STUDY.

12:49PM **9** Q. WHAT DOES YOUR -- I'M SORRY.

12:50PM **10** A. MY WIFE, SHE'S SPENDING A LOT OF TIME AT THE YWCA. SHE'S

12:50PM **11** VERY ENGAGED IN WOMEN'S ISSUES AND THERE'S A LOT OF WORK IN OUR

12:50PM **12** AREA WITH DIVERSITY.

12:50PM **13** Q. SO YOUR JOB AT HTC, COULD YOU REPEAT THE TITLE FOR ME

12:50PM **14** AGAIN, PLEASE?

12:50PM **15** A. VICE-PRESIDENT OF PRODUCT AND OPERATIONS.

12:50PM **16** Q. WHAT DOES THAT MEAN?

12:50PM **17** A. I KNOW. IT'S A FANCY TITLE.

12:50PM **18** SO FOR ME IT'S TWO MAJOR BUCKETS. THE PRODUCT BUCKET

12:50PM **19** DEALS MORE WITH UNDERSTANDING ALL THE REQUIREMENTS THAT LEAD TO

12:50PM **20** THE DEVELOPMENT OF A PHONE, THE REQUIREMENTS FOR THE FEATURES

12:50PM **21** FOR WHAT THE CUSTOMERS WANT, FOR WHAT THE WIRELESS OPERATORS

12:50PM **22** LIKE AT&T AND VERIZON WANT, WHAT THE REGULATORS WANT, LIKE THE

12:50PM **23** S.E.C., AND MAKING SURE THAT THE PHONE WILL BE DEVELOPED

12:50PM **24** ACCORDING TO THESE STANDARDS AND REQUIREMENTS, TEST THE PHONES,

12:50PM **25** BRING THEM TO THE LABORATORIES, GET THEM TESTED, GET THEM

UNITED STATES COURT REPORTERS

01:07PM **1** WHICH MEANS I HAVE TO MAKE TRADEOFFS IN ORDER TO, TO GET TO A
 01:07PM **2** POINT WHERE EVERYTHING IS WORKING UNDER WORSE CASE CONDITIONS.
 01:07PM **3** Q. AND WHAT ARE SOME OF THE TRADEOFFS THAT YOU HAVE TO MAKE
 01:07PM **4** IN ORDER TO ENSURE THAT THE PHONE WORKS IN THAT WORST CASE
 01:07PM **5** SCENARIO?
 01:07PM **6** A. WELL, YOU COULD POTENTIALLY GET HIGHER PERFORMANCE OUT OF
 01:08PM **7** SOME OF THE COMPONENTS, BUT YOU WOULD LOSE RELIABILITY.
 01:08PM **8** Q. WHAT DO YOU MEAN BY THAT?
 01:08PM **9** A. IF YOU COULD -- IF YOU CAN RUN THE SYSTEM FASTER, YOU
 01:08PM **10** MIGHT RUN INTO A SITUATION WHERE SOME COMPONENTS FALL OUT OF
 01:08PM **11** SYNCH OR YOU FALL OUT OF A SYNCH WITH A NETWORK, WHICH WOULD
 01:08PM **12** OBVIOUSLY BE BAD BECAUSE YOU MIGHT DROP A CALL, AND IT'S MORE
 01:08PM **13** IMPORTANT TO KEEP THAT CALL THAN TO BE THAT LITTLE BIT FASTER.
 01:08PM **14** Q. AND SO HOW DOES HTC GO ABOUT MAKING SURE THAT IT HAS THAT
 01:08PM **15** RELIABILITY THAT YOU TALKED ABOUT?
 01:08PM **16** A. HOW DO WE MAKE -- AGAIN, WE'RE DESIGNING FOR ABSOLUTE
 01:08PM **17** WORST CASE, WHICH MEANS WE ARE LOOKING AT THE, THE RANGES OF
 01:08PM **18** HOW MUCH DUST CAN THERE BE IN THE AIR, HOW HOT, HOW COLD CAN IT
 01:08PM **19** BE, AND WE LOOK FOR THE WORST CASE AND MAKE SURE THAT THE PHONE
 01:08PM **20** WILL WORK IN THE WORST CASE, AND THEN WE TIGHTEN EVERYTHING
 01:08PM **21** DOWN.
 01:09PM **22** Q. AND AS HEAD OF PRODUCT AND DEVELOPMENT, DO YOU HAVE A ROLE
 01:09PM **23** IN HELPING TO FIGURE OUT WHAT COMPONENTS GO INTO THE PHONE TO
 01:09PM **24** ACHIEVE THOSE RESULTS?
 01:09PM **25** A. I DON'T PICK COMPONENTS MYSELF, BUT I WORK VERY CLOSELY

UNITED STATES COURT REPORTERS

01:09PM **1** WITH THE PEOPLE WHO DO.
 01:09PM **2** Q. AND WHAT DO YOU DO IN YOUR, IN YOUR ROLE WITH THEM? HOW
 01:09PM **3** DO YOU WORK WITH THEM?
 01:09PM **4** A. WELL, I WILL TELL THEM, LOOK, I NEED A PHONE THAT HAS ALL
 01:09PM **5** THESE FEATURES, THAT HAS ALL THESE SPECIFICATIONS, AND THEN
 01:09PM **6** USUALLY I'M BEING PRESENTED WITH DIFFERENT OPPORTUNITIES AND WE
 01:09PM **7** LOOK AT, OKAY, HERE'S A CHIP WE COULD USE AND HERE'S THE PRICE
 01:09PM **8** FOR THE SYSTEM IF WE USE THIS CHIP. HERE'S A DIFFERENT CHIP WE
 01:09PM **9** CAN USE AND HERE'S THE PRICE IF WE USE THAT CHIP.
 01:09PM **10** SO WE GO THROUGH ALL THESE TRADEOFFS AND MAKE A DECISION
 01:09PM **11** TOGETHER.
 01:09PM **12** Q. AND WHAT CHIPS DO YOU TYPICALLY USE IN MOST OF YOUR
 01:09PM **13** PHONES?
 01:09PM **14** A. WELL, A LARGE MAJORITY OF OUR PHONES ARE POWERED BY
 01:09PM **15** QUALCOMM CHIPS.
 01:09PM **16** Q. AND WHY DID YOU CHOOSE THOSE QUALCOMM CHIPS?
 01:09PM **17** A. QUALCOMM IS THE MARKET LEADER IN WIRELESS CHIPSETS, AND
 01:10PM **18** THEY'RE THE MARKET LEADER BECAUSE THEY ARE VERY INNOVATIVE. WE
 01:10PM **19** HAVE A JOINT HISTORY, QUALCOMM AND US. WE ARE VERY COMPATIBLE
 01:10PM **20** WHEN IT COMES TO -- WE'RE COMPATIBLE WHEN IT COMES TO
 01:10PM **21** INNOVATION, WHEN IT COMES TO OUR SENSE OF QUALITY AND
 01:10PM **22** RELIABILITY.
 01:10PM **23** AND WE HAVE WORKED TOGETHER VERY CLOSELY. IN THE PAST
 01:10PM **24** YEARS, I DON'T KNOW AN EXACT WHAT THE NUMBER IS, BUT MOST OF
 01:10PM **25** THE QUALCOMM CHIPS THAT ARE OUT THERE IN THE MARKET TODAY HAVE

UNITED STATES COURT REPORTERS

01:10PM **1** BEEN BROUGHT UP FIRST IN HTC PHONES. SO WE HAVE PEOPLE IN
 01:10PM **2** SAN DIEGO WORKING AT QUALCOMM, AND QUALCOMM HAS ALWAYS PEOPLE
 01:10PM **3** IN TAIWAN WORKING IN OUR OFFICES TO MAKE SURE THAT WE ARE
 01:10PM **4** CLOSELY INTERRELATED.
 01:10PM **5** Q. AND WHAT TYPES OF CHIPS DO YOU BUY FROM QUALCOMM? WHAT
 01:10PM **6** TYPES OF -- IN TERMS OF HELPING NARROW IT DOWN FOR WHAT MATTERS
 01:10PM **7** FOR THIS CASE --
 01:10PM **8** A. YES.
 01:10PM **9** Q. -- WHAT TYPES OF CLOCKS ARE ON THE CHIPS THAT YOU BUY FROM
 01:11PM **10** QUALCOMM?
 01:11PM **11** A. WELL, THE SYSTEMS THAT WE ARE RUNNING ALL RUN A FIXED
 01:11PM **12** CLOCK.
 01:11PM **13** Q. AND WHY IS THAT?
 01:11PM **14** A. THAT'S THE ONLY WAY TO MAKE SURE THAT THE PHONE WORKS
 01:11PM **15** UNDER ALL, ALL CIRCUMSTANCES.
 01:11PM **16** Q. HAVE YOU EVER CONSIDERED USING A VARIABLE CLOCK IN YOUR
 01:11PM **17** PHONES?
 01:11PM **18** A. I HAVE NOT CONSIDERED AND I DON'T SEE WHAT THE BENEFIT
 01:11PM **19** WOULD BE.
 01:11PM **20** Q. WHY NOT?
 01:11PM **21** A. WELL, THE KEY THING FOR THE PHONE IS TO RUN UNDER ALL
 01:11PM **22** CIRCUMSTANCES AND BE FULLY RELIABLE.
 01:11PM **23** THE SECOND CONSIDERATION THAT I WOULD HAVE IS THE MOST
 01:11PM **24** IMPORTANT THING THAT THE PHONE DOES IS CONNECT TO THE NETWORK,
 01:11PM **25** AND THE NETWORK DETERMINES HOW FAST THAT EXPERIENCE IS.

UNITED STATES COURT REPORTERS

01:11PM **1** SO EVEN IF I WOULD CHANGE THE PERFORMANCE OF THE PHONE
 01:11PM **2** ITSELF AND CLOCK IT FASTER OR SLOWER, IT WOULDN'T CHANGE THE
 01:11PM **3** PERFORMANCE OF THE NETWORK AND SO I WOULDN'T GET ANY BENEFIT.
 01:11PM **4** I WOULDN'T DOWNLOAD SOMETHING FASTER OR WOULDN'T BE ABLE TO
 01:11PM **5** FINISH A PHONE CALL FASTER. IT'S JUST THE WAY IT IS.
 01:12PM **6** AND, AGAIN, THE PROBLEM THAT YOU MIGHT RUN INTO IS IF SOME
 01:12PM **7** OF YOUR COMPONENTS GET OUT OF SYNCH OR YOU GET OUT OF SYNCH
 01:12PM **8** WITH THE NETWORK, YOU DROP THAT CALL AND THAT WOULD BE BAD,
 01:12PM **9** ESPECIALLY IF IT'S A 911 CALL, YOU DON'T WANT TO DROP THAT.
 01:12PM **10** Q. SO YOU MENTIONED THAT YOU WORK WITH QUALCOMM QUITE A BIT
 01:12PM **11** AND YOU USE THEIR FIXED CLOCK CHIPS.
 01:12PM **12** DO YOU WORK WITH OTHER COMPANIES AS WELL WITH THEIR CHIPS?
 01:12PM **13** A. I KNOW FOR A FACT ABOUT A FEW THINGS THAT WE DID WITH
 01:12PM **14** OTHERS. WE HAD TI-BASED DESIGNS. I KNOW WE HAD AN
 01:12PM **15** NVIDIA-BASED DESIGN. I KNOW WE HAD A FEW INTEL-BASED SIGNS.
 01:12PM **16** THERE MIGHT BE OTHERS, BUT THOSE ARE THE OTHERS I KNOW.
 01:12PM **17** Q. DO YOU KNOW WHETHER OR NOT YOU HAVE ANY SAMSUNG CHIPS IN
 01:12PM **18** YOUR PHONES?
 01:12PM **19** A. I DON'T KNOW.
 01:12PM **20** Q. AND AS FAR AS THE NVIDIA AND INTEL CHIPS --
 01:12PM **21** A. UM-HUM.
 01:12PM **22** Q. -- YOU SAID YOU HAD PHONES THAT USE THOSE CHIPS; IS THAT
 01:12PM **23** RIGHT?
 01:12PM **24** A. YES, CORRECT.
 01:12PM **25** Q. COULD YOU HAVE -- COULD YOU DESIGN FUTURE PHONES TO

UNITED STATES COURT REPORTERS

01:27PM **1** BY MR. SMITH:

01:27PM **2** **Q.** AND IF YOU DON'T MIND SKETCHING OUT FOR US, AT A HIGH

01:27PM **3** LEVEL -- I UNDERSTAND IT'S A LITTLE BIT MORE COMPLICATED -- BUT

01:27PM **4** AT A HIGH LEVEL WHAT YOU'VE JUST TALKED ABOUT.

01:27PM **5** **A.** SO THERE'S THE, THE VERY FIRST ELEMENT IS A FIXED INPUT

01:27PM **6** CLOCK FREQUENCY (INDICATING).

01:27PM **7** **Q.** WHAT IS THAT?

01:27PM **8** **A.** IT'S COMING FROM AN EXTERNAL CHIP CRYSTAL, WE CALL IT

01:27PM **9** TCXO. IT STANDS FOR TEMPERATURE COMPENSATED CRYSTAL

01:27PM **10** OSCILLATOR.

01:27PM **11** **Q.** OKAY.

01:27PM **12** **A.** THE BOX I'M DRAWING IS BASICALLY THE PLL SYSTEM ITSELF

01:27PM **13** (INDICATING).

01:27PM **14** **Q.** SO MR. DENA, IS THE TCXO PART OF THE CHIP?

01:27PM **15** **A.** NO. THE CRYSTAL RESIDES OUTSIDE THE CHIP.

01:27PM **16** **Q.** OKAY. PLEASE CONTINUE.

01:27PM **17** **A.** THERE ARE WHAT WE CALL REGISTERS THAT ARE 32 BIT WIDE, AND

01:28PM **18** THEY'RE BASICALLY REPRESENTING THE BINARY VALUE OF THE NUMBER.

01:28PM **19** SO FOR COURT PURPOSES, WE CAN IMAGINE THESE ARE JUST L, M, AND

01:28PM **20** N, OR A SERIES OF NUMBERS (INDICATING).

01:28PM **21** **Q.** HOLD ON, MR. DENA. LET ME SEE IF WE CAN GET A BETTER VIEW

01:28PM **22** HERE.

01:28PM **23** EXCUSE ME. THANK YOU.

01:28PM **24** **A.** AND THEN BASICALLY OF THE PLL OUTPUT, WHICH ESSENTIALLY IS

01:28PM **25** THE CLOCK THAT WE USE FOR THE REST OF THE CHIP (INDICATING).

UNITED STATES COURT REPORTERS

01:28PM **1** SO THIS SYSTEM THAT I'VE SHOWN IS THE SOURCE OF ALL CLOCKS

01:28PM **2** ON THE CHIPS, AND WE HAVE MULTIPLE OF THESE DEPENDING ON THE

01:28PM **3** CHIP, AND IN SOME CHIPS YOU HAVE FOUR, SOME CHIPS YOU HAVE

01:28PM **4** FIVE, SEVEN, SIX, DEPENDING ON THE CHIP APPLICATION.

01:29PM **5** NOW, IN THIS PARTICULAR CASE -- SO ON THE REFERENCE INPUT

01:29PM **6** FREQUENCY, THE REFERENCE INPUT HAS A FREQUENCY ASSOCIATED WITH

01:29PM **7** IT (INDICATING), AND THEN YOU HAVE THE CLOCK OUTPUT THAT HAS A

01:29PM **8** FREQUENCY ASSOCIATED WITH IT (INDICATING).

01:29PM **9** IN A NUTSHELL, PLL USE THAT FORMULA TO CREATE A FREQUENCY

01:29PM **10** A LOT LARGER IN MAGNITUDE THAN THE INPUT FREQUENCY THAT IT

01:29PM **11** RECEIVES, AND THAT FORMULA BASICALLY IS DICTATED IN THIS -- IN

01:29PM **12** THIS PARTICULAR CASE I'M SHOWING THE CLOCK FREQUENCY, WHICH

01:29PM **13** MEANS THE OUTPUT FREQUENCY, L PLUS M OVER N MULTIPLIED BY THE

01:29PM **14** INPUT FREQUENCY (INDICATING).

01:29PM **15** SO YOU CAN IMAGINE IF YOU WANTED TO MULTIPLY THE FREQUENCY

01:29PM **16** OF THE PLL TO BE TEN TIMES, 10.25 TIMES THE INPUT FREQUENCY,

01:30PM **17** YOU PUT 10 FOR L AND YOU PUT 1 OVER 4 FOR M AND N, AND THAT

01:30PM **18** BASICALLY DOES THE JOB (INDICATING).

01:30PM **19** OF COURSE YOU HAVE TO GO THROUGH THE PERIOD OF WAITING FOR

01:30PM **20** THE PLL TO LOCK UNTIL THE STEADY OUTPUT IS ACHIEVED, AND THEN

01:30PM **21** FROM THEN ON, THE TARGET, THE OUTPUT FREQUENCY IS ALWAYS

01:30PM **22** CONSTANT.

01:30PM **23** **Q.** IS THERE ANY SIGNIFICANCE FOR THE OUTPUT SIGNAL TO BE

01:30PM **24** CONSTANT?

01:30PM **25** **A.** IT'S CRITICAL FOR THE CHIP PERFORMANCE.

UNITED STATES COURT REPORTERS

01:30PM **1** **Q.** WHY IS IT CRITICAL?

01:30PM **2** **A.** IT NEEDS -- IT HAS TO DO WITH THE STABILITY TO BEGIN WITH,

01:30PM **3** SO, YOU KNOW, IF YOU'RE EXPERIENCING A CALL DROP, FOR INSTANCE,

01:30PM **4** IF THE FREQUENCY IS VARYING OUT CONTROL, YOU KNOW, YOU WOULD

01:30PM **5** LOSE THE CALL. YOU WOULD LOSE YOUR WIRELESS. YOU WOULD LOSE

01:30PM **6** YOUR BLUETOOTH. BASICALLY THE CHIP STARTS TO MALFUNCTION IF

01:30PM **7** THE FREQUENCY IS NOT FIXED.

01:30PM **8** YOU ARE NOT SUPPLYING ONLY TO ONE COMPONENT OF THE CHIP.

01:31PM **9** AS I MENTIONED, THERE ARE MULTITUDES OF PLL'S AND THEY ARE

01:31PM **10** SUPPLYING TO A SYMPHONY ORCHESTRA, TO GROUPS OF COMPONENTS OF

01:31PM **11** THE CHIP AND THEY ALL HAVE TO WORK IN UNISON.

01:31PM **12** IN OTHER WORDS, YOU CAN IMAGINE IF YOU RUSH TOO MUCH, TOO

01:31PM **13** MANY CARS INTO A HIGHWAY THAT DOESN'T HAVE THE BANDWIDTH TO

01:31PM **14** CARRY THAT TRAFFIC, YOU'RE GOING TO HAVE A BACKUP OF THE

01:31PM **15** TRAFFIC.

01:31PM **16** **Q.** NOW, IS THIS HIGH LEVEL DESIGN IN ALL OF THE QUALCOMM

01:31PM **17** CHIPS AT ISSUE IN THIS CASE?

01:31PM **18** **A.** THAT'S CORRECT.

01:31PM **19** NOW, WE HAVE CERTAIN PLL'S THAT MIGHT, INSTEAD OF L AND M

01:31PM **20** AND N, USE ONLY L. BUT PRETTY MUCH THIS IS IT (INDICATING).

01:31PM **21** **Q.** HOW DOES THAT LOOK IN A FORMULA WITH JUST L?

01:31PM **22** **A.** WITH JUST L, WE HAVE ONE VERSION THAT IS BASICALLY L TIMES

01:31PM **23** TCXO FREQUENCY TIMES TWO (INDICATING). THERE WAS A VERSION OF

01:31PM **24** THE PLL LIKE THAT.

01:31PM **25** **Q.** AND ALL THE PLL'S USED IN THE QUALCOMM CHIPS AT ISSUE IN

UNITED STATES COURT REPORTERS

01:32PM **1** THIS CASE, IS THERE A FORMULA THAT GOVERNS THE OUTPUT?

01:32PM **2** **A.** ALWAYS.

01:32PM **3** **Q.** HAVE YOU SEEN ANY INSTANCES WHERE THERE'S NOT A FORMULA?

01:32PM **4** **A.** NO. THAT BASICALLY DEFEATS THE USAGE OF THE PLL.

01:32PM **5** **Q.** WHAT DO YOU MEAN IT DEFEATS THE PURPOSE, OR THE USAGE OF

01:32PM **6** THE PLL?

01:32PM **7** **A.** SO THE PURPOSE OF THE PLL IS TO PROVIDE A PREDETERMINED --

01:32PM **8** WHAT WE ARE ASKING IT TO DO IN TERMS OF FREQUENCY AND MAINTAIN

01:32PM **9** IT AT A CONSTANT LEVEL AT ALL TIMES.

01:32PM **10** **Q.** OKAY. NOW, ONE MORE LAST QUESTION ABOUT THIS. THIS TCXO

01:32PM **11** RIGHT HERE, IS THAT A -- WHAT TYPE OF SIGNAL IS THAT

01:32PM **12** (INDICATING)?

01:32PM **13** **A.** IT'S WHAT YOU CALL A REFERENCE CLOCK SIGNAL FIXED AT 19.2,

01:32PM **14** AND IT'S EXTREMELY IMPORTANT FOR PLL OPERATION FOR THIS SIGNAL

01:32PM **15** TO BE FIXED ACROSS VARIATION AND TEMPERATURES (INDICATING).

01:32PM **16** **Q.** OKAY. YOU CAN TAKE YOUR SEAT, SIR. THANK YOU.

01:33PM **17** NOW, WHAT WOULD HAPPEN IN ANY OF THE PLL'S THAT ARE IN THE

01:33PM **18** QUALCOMM CHIPS IN THIS CASE IF THERE WAS NO TCXO?

01:33PM **19** **A.** YOU WOULD LOSE THE CLOCK OUTPUT.

01:33PM **20** **Q.** ARE YOU SURE?

01:33PM **21** **A.** POSITIVE.

01:33PM **22** **Q.** HOW ARE YOU SURE?

01:33PM **23** **A.** I'VE HAD CASES OF DEFECTIVE CHIPS THAT LOST CLOCK OUTPUT

01:33PM **24** BECAUSE THE CONNECTION OF THE CRYSTAL INTERNAL TO THE CHIP WAS

01:33PM **25** BROKEN.

UNITED STATES COURT REPORTERS

1061

1063

01:50PM **1** A. YES. AND I'D JUST LIKE TO EMPHASIZE. IT'S NOT DONE FOR
 01:50PM **2** EVERY PART THAT IS SHIPPED. IT'S DONE FOR A BATCH OF DEVICES
 01:50PM **3** THAT ARE IN EXTREME CORNERS OF THE PROCESS, FOR INSTANCE, AND
 01:50PM **4** THEN THERE'S AN EXTRAPOLATION THAT IS DONE BASED UPON THAT
 01:50PM **5** PERFORMANCE FOR THE FINAL PRODUCT TESTING.
 01:50PM **6** SO CHARACTERIZATION IS NOT SOMETHING THAT'S DONE ON EVERY
 01:50PM **7** CHIP THAT'S SHIPPED. IT'S ONLY DONE ON A SAMPLE OF DEVICES
 01:50PM **8** THAT RESIDE ON THE CORNERS, ON THE BOUNDARIES OF THE PVT.
 01:50PM **9** Q. UNDERSTOOD. UNDERSTOOD.
 01:50PM **10** BUT NO MATTER HOW CAREFUL QUALCOMM IS, NO MATTER HOW
 01:50PM **11** CAREFULLY THEY DESIGN THE CHIPS, THERE ARE SOME VARIATIONS FROM
 01:50PM **12** CHIP TO CHIP; RIGHT?
 01:50PM **13** A. VARIATIONS IN WHAT?
 01:50PM **14** Q. IN PERFORMANCE.
 01:50PM **15** A. PERFORMANCE OF WHAT? OF THE PLL?
 01:51PM **16** Q. OF THE CHIPS. ARE THERE ANY VARIATIONS? I MEAN, EVEN IF
 01:51PM **17** QUALCOMM IS CAREFUL TO DESIGN, THAT PROCESS IS NOT PERFECT;
 01:51PM **18** RIGHT? THERE ARE SOME VARIATIONS FROM CHIP TO CHIP, ARE THERE
 01:51PM **19** NOT?
 01:51PM **20** A. ARE YOU REFERRING TO THE PLL PERFORMANCE OR SOMETHING
 01:51PM **21** ELSE?
 01:51PM **22** Q. I'M JUST REFERRING TO THE PERFORMANCE OF THE CHIP, OF
 01:51PM **23** QUALCOMM'S DESIGNS ON SILICON.
 01:51PM **24** A. I THINK THAT IS A VERY BROAD SCOPE BECAUSE CHIP
 01:51PM **25** PERFORMANCE CAN BE DEFINED BY HOW MUCH POWER IT CONSUMES OR

UNITED STATES COURT REPORTERS

01:52PM **1** A. QUALCOMM PERFORMS -- AGAIN, IF YOU'RE REFERRING BY TEST --
 01:53PM **2** YOU KNOW, TESTING, AGAIN, IT'S A VERY BROAD STATEMENT. IF YOU
 01:53PM **3** ARE TALKING ABOUT CHARACTERIZATION, STRUCTURAL TESTING,
 01:53PM **4** FUNCTIONAL TESTING, YOU KNOW, DURABILITY, THEY'RE ALL KINDS OF
 01:53PM **5** THINGS UNDER TESTING.
 01:53PM **6** BUT IF YOU ARE REFERRING TO CHARACTERIZATION,
 01:53PM **7** CHARACTERIZATION IS PERFORMED TO ENSURE FOR THE WIDE RANGE OF
 01:53PM **8** PROCESS VARIATIONS THAT WE RECEIVE FROM THE FACTORY AND, YOU
 01:53PM **9** KNOW, WE SHIP -- THE PARTS THAT WE SHIP, THE PARTS THAT
 01:53PM **10** QUALCOMM SHIPS ARE WITHIN THAT WIDE RANGE.
 01:53PM **11** SO CHARACTERIZATION IS DONE TO ENSURE THE PARTS THAT
 01:53PM **12** QUALCOMM SHIPS TO CUSTOMER HAVE THE GUARANTEE OF OPERATION
 01:53PM **13** WITHIN THOSE VARIATIONS.
 01:53PM **14** Q. AND IN FACT, ONE OF THE REASONS THAT QUALCOMM TESTS ITS
 01:53PM **15** CHIPS IS SO THAT IT CAN PERFORM SPEED BINNING; RIGHT?
 01:53PM **16** A. SPEED BINNING IS A COMPLETELY DIFFERENT ISSUE FROM THIS.
 01:53PM **17** Q. OKAY. BUT SPEED BINNING DOES TAKE INTO ACCOUNT PROCESS OR
 01:53PM **18** FABRICATION VARIATIONS, DOES IT NOT?
 01:54PM **19** A. IT DOESN'T HAVE TO REALLY -- BASICALLY WHAT YOU ARE DOING
 01:54PM **20** IS IF YOU DO A SPEED BINNING, YOU DECIDE -- YOU LOOK AT THE
 01:54PM **21** RANGE, THE SPECTRUM OF THE CHANGE IN THE PROCESS, LET'S SAY ON
 01:54PM **22** THE LEFT-HAND SIDE YOU HAVE THE VERY SLOW PROCESS, ON
 01:54PM **23** RIGHT-HAND SIDE YOU HAVE THE VERY FAST PROCESS.
 01:54PM **24** WHAT DOES SLOW AND FAST MEAN? IT DOESN'T MEAN YOUR PLL
 01:54PM **25** COULD DO 2 GIGAHERTZ HERE AND IT NOW CAN DO 4 GIGAHERTZ. PLL

UNITED STATES COURT REPORTERS

1062

1064

01:51PM **1** WHAT CLOCK RATE IT DOES, AND SO I'D LIKE TO BE AS SPECIFIC.
 01:51PM **2** REGARDING PLL'S, I CAN TELL YOU THAT PLL'S ARE DESIGNED TO
 01:51PM **3** MAINTAIN THE TARGET FREQUENCY ACROSS PVT VARIATIONS.
 01:51PM **4** IN OTHER WORDS, THE 400 MEGAHERTZ ON A SLOW DEVICE, A SLOW
 01:51PM **5** PROCESS DEVICE, IS GOING TO BE DONE WITH THE SAME L, M, N VALUE
 01:51PM **6** THAT IS GOING TO BE USED FOR A FAST PROCESS SILICON. L, M, N'S
 01:52PM **7** DON'T CHANGE, AND THE HOLY GRAIL OF PLL DESIGN IS TO ENSURE
 01:52PM **8** THAT THE CUSTOMERS DO NOT HAVE TO CHANGE THOSE PARAMETERS TO
 01:52PM **9** GENERATE THAT SAME TARGET FREQUENCY ACROSS THE CORNERS.
 01:52PM **10** Q. NOW, WE'VE HEARD IN THIS TRIAL THAT THE FEATURE SIZE ON
 01:52PM **11** THESE DEVICES -- AND WE SHOWED SOME FEATURE SIZES UP HERE
 01:52PM **12** (INDICATING) -- I MEAN, THOSE ARE TEN TIMES SMALLER THAN A
 01:52PM **13** WAVELENGTH OF LIGHT; RIGHT?
 01:52PM **14** A. THOSE ARE VERY SMALL. THEY WERE A LOT BIGGER WHEN I
 01:52PM **15** STARTED THIS BUSINESS, SO --
 01:52PM **16** Q. FAIR ENOUGH. AND IN FACT, BECAUSE THEY'RE SO SMALL, IT'S
 01:52PM **17** VERY DIFFICULT TO GET EVERY SINGLE TRANSISTOR DESIGNED THE
 01:52PM **18** SAME?
 01:52PM **19** A. ABSOLUTELY. THERE'S GOING TO BE PHYSICAL IMPERFECTION,
 01:52PM **20** DIFFERENCES ACROSS ALL OF THIS, ABSOLUTELY, YES.
 01:52PM **21** Q. SO WE AGREE THERE. SO THERE'S GOING TO BE SOME PROCESS
 01:52PM **22** VARIATION FROM CHIP TO CHIP; RIGHT?
 01:52PM **23** A. YES.
 01:52PM **24** Q. RIGHT. AND SO THAT'S PART OF THE REASON WHY QUALCOMM
 01:52PM **25** PERFORMS TESTING ON ITS CHIPS, ISN'T IT?

UNITED STATES COURT REPORTERS

01:54PM **1** CAN DO 4 GIGAHERTZ ON EITHER SIDE OF THESE CORNERS.
 01:54PM **2** WHAT FAST AND SLOW MEAN IS THE RECEIVING CIRCUIT. IN OUR
 01:54PM **3** ARGUMENTS, IT'S THE CPU. IT'S CAPABLE OF DOING BETTER THAN IT
 01:54PM **4** WAS DESIGNED FOR IN TERMS OF RECEIVING HIGHER SPEED CLOCK IN
 01:54PM **5** THE FAST CORNER.
 01:54PM **6** SO IT'S A MARKETING OR BUSINESS DECISION THAT, LOOK, WE
 01:54PM **7** SPECCED, ORIGINALLY SPECCED THIS PART TO OPERATE THE CPU AT 800
 01:54PM **8** MEGAHERTZ, BUT WE SEE THAT FOR 75 PERCENT OR 80 PERCENT OF THE
 01:55PM **9** DEVICES THAT WE GET, THAT SUFFICES.
 01:55PM **10** NOW, IS THERE A MARKET FOR 1.2 GIGAHERTZ? SURE, THERE IS
 01:55PM **11** IF YOU DO THAT. SO WE HAVE A PREMIUM FOR THE FAST CORNER
 01:55PM **12** PROCESS DEVICES, AND THEN THE FREQUENCY PLAN, THE PLL PLAN IS
 01:55PM **13** GOING TO CHANGE FOR THAT PARTICULAR GROUP OF DEVICES.
 01:55PM **14** Q. OKAY. UNDERSTOOD. SO YOU CHANGE THE PLL PLAN BASED ON
 01:55PM **15** THE SPEED BIN THAT THE CHIP GOES IN; RIGHT?
 01:55PM **16** A. RIGHT. AND THE CHIPS USUALLY ARE GOING TO HAVE A
 01:55PM **17** DIFFERENT IDENTIFICATION WHEN THEY ARE AT THE HIGHER SPEED
 01:55PM **18** VERSUS THE ONE THAT --
 01:55PM **19** Q. AND I THINK YOU CALLED THESE PREMIUM CHIPS, THE FASTER
 01:55PM **20** ONES, RIGHT?
 01:55PM **21** A. I DON'T KNOW IF IT'S PREMIUM, BUT THE MARKETING GROUP.
 01:55PM **22** Q. BUT YOU'RE ABLE TO CHARGE MORE MONEY FOR THOSE CHIPS;
 01:55PM **23** RIGHT?
 01:55PM **24** A. YES.
 01:55PM **25** Q. OKAY. GOOD. AND I WANT TO TAKE A LOOK AT AN IEEE

UNITED STATES COURT REPORTERS

EXHIBIT 2

641

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF CALIFORNIA
SAN JOSE DIVISION

HTC CORPORATION AND HTC) C-08-00882 PSG
AMERICA, INC.,)
PLAINTIFF,)
VS.)
TECHNOLOGY PROPERTIES LIMITED,)
PATRIOT SCIENTIFIC CORPORATION)
AND ALLIACENSE LIMITED,)
DEFENDANT.)

TRANSCRIPT OF PROCEEDINGS
BEFORE THE HONORABLE PAUL S. GREWAL
UNITED STATES MAGISTRATE JUDGE

A P P E A R A N C E S :

FOR THE PLAINTIFF: COOLEY LLP
BY: HEIDI KEEFE
RON LEMIEUX
3175 HANOVER STREET
PALO ALTO, CALIFORNIA 94304

APPEARANCES CONTINUED ON NEXT PAGE

OFFICIAL COURT REPORTER: LEE-ANNE SHORTRIDGE, CSR, CRR
CERTIFICATE NUMBER 9595

PROCEEDINGS RECORDED BY MECHANICAL STENOGRAPHY
TRANSCRIPT PRODUCED WITH COMPUTER

UNITED STATES COURT REPORTERS

642

APPEARANCES (CONTINUED)

FOR THE PLAINTIFF: COOLEY LLP
BY: STEPHEN R. SMITH
1299 PENNSYLVANIA AVENUE, NW
SUITE 700
WASHINGTON, D.C. 20004

BY: MATTHEW J. LEARY
380 INTERLOCKEN CRESCENT, SUITE 900
BROOMFIELD, COLORADO 80021

ALSO PRESENT: VINCENT LAM

FOR DEFENDANTS)
TPL AND)
ALLIACENSE:)
BY: JAMES C. OTTESON)
IRVIN E. TYAN)
THOMAS T. CARMACK)
VINCENT K. YEE)
DAVID LANSKY)
149 COMMONWEALTH DRIVE)
MENLO PARK, CALIFORNIA 94025)

FOR DEFENDANT)
PATRIOT:)
KIRBY, NOONAN, LANCE & HOGE)
CHARLES T. HOGE)
35 TENTH AVENUE)
SAN DIEGO, CALIFORNIA 92101)

ALSO PRESENT: CHARLES MOORE
CLIFFORD FLOWERS
DAN LECKRONE
MACK LECKRONE

UNITED STATES COURT REPORTERS

INDEX OF WITNESSES

DEFENDANTS'

VOJIN OKLOBDZIJA
DIRECT EXAM BY MR. MARSH (RES.) P. 661
CROSS-EXAM BY MR. SMITH P. 720
REDIRECT EXAM BY MR. MARSH P. 761

DANIEL MCNARY LECKRONE
DIRECT EXAM BY MR. CARMACK P. 793
CROSS-EXAM BY MR. LEMIEUX P. 818

STEPHEN PROWSE
DIRECT EXAM BY MR. LANSKY P. 832

INDEX OF EXHIBITS

MARKED ADMITTED

DEFENDANTS'

1106, 1118, 1130, 1145, 1164, 818
1279, 1282, 1627

03:07PM 15 166, 177, 203, 210, 237, 245, 852
03:07PM 357, 365, 367, 369, 373, 391,
03:07PM 16 398, 399, 404, 406, 407, 412,
03:08PM 438, 442, 447, 1331, 1334, 1430,
03:08PM 17 1431, 1537, 1538, 1539, 1540,
03:08PM 1541, 1542, 1543, 1544, 1545,
03:08PM 18 1546, 1548, 1549, 1550, 1551,
03:09PM 1552, 1553, 1554, 1555, 1556,
03:09PM 19 1557, 1558, 1559, 1560, 1561,
03:09PM 1562, 1563, 1564, 1565, 1566,
03:09PM 20 1577, 1581, 1582, 1583, 1584,
03:10PM 1585, 1586, 1587, 1588, 1589,
03:10PM 21 1590, 1591, 1593, 1595, 1596,
03:10PM 1597, 1598, 1599, 1600, 1601,
03:10PM 22 1602, 1603, 1604, 1605, 1606,
03:10PM 1607, 1608, 1609, 3013, 3027,
03:11PM 23 3033, 3081, 3082, 3083, 3084,
03:11PM 3085, 3086, 3087, 3088, 3089,
03:11PM 24 3090, 3091, 3092, 3104, 3105,
03:12PM 3106, 3107, AND 3113
03:12PM 25
03:12PM

UNITED STATES COURT REPORTERS

644

03:12PM 1 SAN JOSE, CALIFORNIA SEPTEMBER 26, 2013
08:56AM 2 P R O C E E D I N G S
08:56AM 3 (JURY OUT AT 9:01 A.M.)
09:01AM 4 THE COURT: MR. RIVERA, WOULD YOU CALL THE MATTER
09:01AM 5 THAT'S BEEN SET FOR TRIAL, IF YOU WOULD.
09:01AM 6 THE CLERK: YES, YOUR HONOR. CALLING HTC
09:01AM 7 CORPORATION, ET AL VERSUS TECHNOLOGY PROPERTIES LIMITED, ET AL,
09:01AM 8 CASE NUMBER CV-08-882 PSG. MATTER ON FOR TRIAL.
09:01AM 9 COUNSEL, PLEASE STATE YOUR APPEARANCES.
09:01AM 10 THE COURT: COUNSEL, YOU WANT TO STATE YOUR
09:01AM 11 APPEARANCES, AT LEAST IN A REPRESENTATIVE CAPACITY.
09:01AM 12 MR. OTTESON: I'M SORRY. YES, JIM OTTESON,
09:01AM 13 AGILITY IP LAW. I REPRESENT DEFENDANTS TPL AND ALLIACENSE.
09:01AM 14 MR. HOGE: EXCUSE ME, YOUR HONOR. CHARLIE HOGE FOR
09:01AM 15 PATRIOT SCIENTIFIC.
09:01AM 16 THE COURT: MR. OTTESON, MR. HOGE, GOOD MORNING.
09:01AM 17 GOOD MORNING TO YOUR COLLEAGUES AS WELL.
09:01AM 18 MS. KEEFE: YOUR HONOR, GOOD MORNING. HEIDI KEEFE
09:01AM 19 FROM COOLEY REPRESENTING HTC, WITH MY COLLEAGUES STEVE SMITH,
09:01AM 20 MATT LEARY, AND RON LEMIEUX.
09:01AM 21 THE COURT: ALL RIGHT. GOOD MORNING, MS. KEEFE, AND
09:01AM 22 TO EACH OF YOUR COLLEAGUES AS WELL.
09:01AM 23 MR. SMITH: GOOD MORNING, YOUR HONOR.
09:01AM 24 THE COURT: I UNDERSTAND WE HAVE AT LEAST A COUPLE OF
09:02AM 25 ISSUES TO ADDRESS, EXHIBITS AND SO FORTH. WHO WANTS TO GO

UNITED STATES COURT REPORTERS

11:20AM **1** Q. OKAY. SO LET ME PUT IT UP ON THE ELMO. AND THAT'S THE

11:20AM **2** CONSTRUCTION, RIGHT, DOCTOR, THE ENTIRE OSCILLATOR THAT WE JUST

11:20AM **3** SAW IN THE CLAIM IS PROPERLY UNDERSTOOD TO EXCLUDE ANY EXTERNAL

11:20AM **4** CLOCK USED TO GENERATE THE SIGNAL USED TO CLOCK THE CPU; RIGHT?

11:20AM **5** A. EXCLUDE ANY EXTERNAL CLOCK -- YES, THAT'S CORRECT.

11:21AM **6** Q. AND YOU APPLIED THAT CLAIM CONSTRUCTION IN OFFERING YOUR

11:21AM **7** OPINION; CORRECT?

11:21AM **8** A. I DO, ABSOLUTELY.

11:21AM **9** Q. RIGHT. NOW, WE'RE ALL BOUND BY THIS CONSTRUCTION? WE ALL

11:21AM **10** HAVE TO USE IT?

11:21AM **11** A. THAT -- THOSE ARE THE RULES OF THE GAME.

11:21AM **12** Q. THE RULES OF THE GAME, OKAY. AND I WANT TO WALK THROUGH

11:21AM **13** THE RULES OF THE GAME WITH YOU IN A LITTLE BIT OF DETAIL.

11:21AM **14** NOW, IT SAYS, "PROPERLY UNDERSTOOD TO EXCLUDE ANY EXTERNAL

11:21AM **15** CLOCK," AND YOU WOULD AGREE WITH ME, DOCTOR, THAT AN EXTERNAL

11:21AM **16** CLOCK MEANS IT'S OFF THE CHIP; RIGHT?

11:21AM **17** A. I ABSOLUTELY AGREE WITH YOU, MR. SMITH.

11:21AM **18** Q. YOU AGREE -- I'M SORRY?

11:21AM **19** A. ABSOLUTELY I AGREE.

11:21AM **20** Q. OKAY. THANK YOU.

11:21AM **21** SO -- AND YOU WOULD AGREE WITH ME THAT IF THERE'S AN

11:21AM **22** OFF-CHIP CLOCK THAT'S USED TO GENERATE THE SIGNAL THAT CLOCKS

11:21AM **23** THE CPU, THEN THERE'S NO INFRINGEMENT; RIGHT?

11:21AM **24** A. THEN THE ELEMENT 13 -- WELL, THE ELEMENT A IS NOT

11:22AM **25** SATISFIED AND THAT WILL KNOCK BOTH OF THEM OUT.

UNITED STATES COURT REPORTERS

11:22AM **1** Q. BECAUSE YOU HAVE TO HAVE ALL OF THE ELEMENTS TO INFRINGE;

11:22AM **2** RIGHT?

11:22AM **3** A. THAT IS -- IF I'M BEING A GOOD LAW STUDENT, I THINK THAT'S

11:22AM **4** HOW I UNDERSTAND IT.

11:22AM **5** Q. OKAY. NOW, LET'S GO -- LET'S GO RIGHT TO THE ACCUSED

11:22AM **6** PHONES AND SEE IF WE CAN SEE WHERE WE DISAGREE ON THE CLAIM

11:22AM **7** CONSTRUCTION. LET'S GO RIGHT TO THE HEART OF THE MATTER.

11:22AM **8** A. RIGHT TO THE HEART, THAT'S RIGHT.

11:22AM **9** Q. NOW, SOME OF THE -- WE CAN DROP THAT, JIM.

11:22AM **10** SOME OF THE HTC PHONES USE A QUALCOMM CHIP; RIGHT?

11:22AM **11** A. YES, SOME.

11:22AM **12** Q. AND SOME USE A TEXAS INSTRUMENTS CHIP?

11:22AM **13** A. CORRECT.

11:22AM **14** Q. AND OTHERS, THE REMAINDER, USE THE SAMSUNG CHIPS; RIGHT?

11:22AM **15** A. THAT IS CORRECT.

11:22AM **16** Q. AND FOR PURPOSES OF YOUR INFRINGEMENT ANALYSIS, THEY

11:22AM **17** GENERALLY WORK THE SAME WAY; CORRECT?

11:22AM **18** A. THAT IS CORRECT.

11:22AM **19** Q. OKAY. AND SO YOU WOULD AGREE WITH ME, DOCTOR, THAT ALL OF

11:22AM **20** THE ACCUSED HTC PHONES THAT YOU LOOKED AT, WHATEVER THE

11:23AM **21** MANUFACTURER OF THE CHIP, ALL HAD A PLL IN THEM; RIGHT?

11:23AM **22** A. THAT IS CORRECT.

11:23AM **23** Q. AND I WANT TO PUT YOUR DIAGRAM UP.

11:23AM **24** IF WE CAN GET THE ELMO AGAIN, JIM. THANK YOU.

11:23AM **25** AND YOU REMEMBER THIS -- YOU PREPARED THIS SLIDE; RIGHT?

UNITED STATES COURT REPORTERS

11:23AM **1** A. YES.

11:23AM **2** Q. AND IN ALL OF THE HTC PHONES THAT HAVE THE -- AND ALL OF

11:23AM **3** THEM HAVE PLL, AND THE PLL SENDS OUT A CLOCK THAT CLOCKS THE

11:23AM **4** CPU (INDICATING); CORRECT?

11:23AM **5** A. THAT IS CORRECT.

11:23AM **6** Q. AND ALL THE HTC PHONES HAVE AN EXTERNAL REFERENCE

11:23AM **7** (INDICATING); RIGHT?

11:23AM **8** A. THAT IS CORRECT.

11:23AM **9** Q. AND THEY'RE ALL DIRECTLY OR INDIRECTLY BASED ON A CRYSTAL;

11:23AM **10** RIGHT?

11:23AM **11** A. AS WE HAVE SEEN IT, RIGHT.

11:23AM **12** Q. RIGHT. COULD BE DIRECTLY FROM A CRYSTAL OR IT COULD BE

11:23AM **13** FROM A CLOCK GENERATOR THAT HAS A CRYSTAL; RIGHT?

11:24AM **14** A. SOMETHING STABLE.

11:24AM **15** Q. OKAY. BUT THEY ALL COME FROM A CRYSTAL ONE WAY OR THE

11:24AM **16** OTHER; RIGHT?

11:24AM **17** A. LET'S SAY YES. I MEAN, NOT NECESSARILY, BUT SOMETHING

11:24AM **18** STABLE, STABLE REFERENCE, AND CRYSTAL IS USUALLY STABLE

11:24AM **19** REFERENCE.

11:24AM **20** Q. SO THIS IS A STABLE REFERENCE (INDICATING). ALL THE

11:24AM **21** PHONES USE A STABLE REFERENCE INTO THE PLL; CORRECT?

11:24AM **22** A. THAT IS CORRECT.

11:24AM **23** Q. AND COULD YOU CALL THAT A FIXED REFERENCE?

11:24AM **24** A. I WOULD CALL IT STABLE.

11:24AM **25** Q. STABLE. BECAUSE NOTHING IS PERFECT; CORRECT?

UNITED STATES COURT REPORTERS

11:24AM **1** A. THAT IS CORRECT.

11:24AM **2** Q. OKAY. NOW -- AND THIS IS -- THIS IS EXTERNAL, RIGHT,

11:24AM **3** DOCTOR?

11:24AM **4** A. THAT IS EXTERNAL.

11:24AM **5** Q. OKAY. AND ALL THE HTC PHONES THAT YOU LOOKED AT --

11:24AM **6** AND, YOUR HONOR, MAY I APPROACH THE SCREEN?

11:24AM **7** THE COURT: YOU MAY, MR. SMITH.

11:24AM **8** MR. SMITH: THANK YOU.

11:24AM **9** Q. -- THEY ALL CONTAIN EITHER A RING OSCILLATOR OR AN

11:24AM **10** OSCILLATOR IN A PLL; CORRECT?

11:24AM **11** A. THAT IS CORRECT.

11:24AM **12** Q. AND YOUR POINT, DOCTOR, IF I UNDERSTOOD YOUR TESTIMONY

11:25AM **13** CORRECTLY, WAS THAT IT'S THE RING OSCILLATOR OR THE OSCILLATOR

11:25AM **14** THAT, APPLYING THE JUDGE'S CLAIM LANGUAGE, IS USED TO GENERATE

11:25AM **15** THE CLOCK SIGNAL; CORRECT?

11:25AM **16** A. THAT IS CORRECT. IT IS CONNECTED TO THE CPU, IT GENERATES

11:25AM **17** THAT CLOCK SIGNAL AS I EXPLAINED TO THE COURT, AND THAT IS THE

11:25AM **18** CLOCK GENERATOR.

11:25AM **19** Q. SO THE ANSWER IS YOU'RE POINTING TO THE RING OSCILLATOR OR

11:25AM **20** THE OSCILLATOR AND SAYING THAT THAT'S USED TO GENERATE THAT

11:25AM **21** CLOCK?

11:25AM **22** A. THAT IS CORRECT.

11:25AM **23** Q. RIGHT. AND YOUR POINT IS THAT EVEN THOUGH THERE'S A

11:25AM **24** CRYSTAL OR AN EXTERNAL REFERENCE THAT GOES INTO THE PLL --

11:25AM **25** A. RIGHT.

UNITED STATES COURT REPORTERS

11:25AM **1** Q. -- THIS IS NOT USED TO GENERATE THE CLOCK SIGNAL OVER HERE
 11:25AM **2** (INDICATING); RIGHT?
 11:25AM **3** A. THAT IS CORRECT.
 11:25AM **4** Q. THAT'S YOUR OPINION?
 11:25AM **5** A. IT IS NOT USED TO GENERATE.
 11:25AM **6** Q. RIGHT. TO?
 11:26AM **7** A. TO GENERATE. IT IS USED TO BE A REFERENCE. IT IS USED TO
 11:26AM **8** ADJUST, BUT IT IS NOT USED TO GENERATE.
 11:26AM **9** Q. WE'LL GET THERE, DOCTOR. TRUST ME. BUT I JUST WANT TO
 11:26AM **10** MAKE SURE WE KNOW WHERE THE DISPUTE IS. OKAY?
 11:26AM **11** SO YOUR POINT IS IT'S THE RING OSCILLATOR OR OSCILLATOR
 11:26AM **12** ALONE THAT GENERATES THIS CLOCK SIGNAL; CORRECT?
 11:26AM **13** A. AS I HAVE DEMONSTRATED HERE IN COURT.
 11:26AM **14** Q. RIGHT. BUT I JUST WANT TO MAKE SURE WE'RE ON THE SAME
 11:26AM **15** PAGE.
 11:26AM **16** A. YES.
 11:26AM **17** Q. OKAY, GOOD. NOW, YOU'LL AT LEAST AGREE WITH ME, DOCTOR,
 11:26AM **18** THAT THIS EXTERNAL REFERENCE RIGHT HERE IS AN ESSENTIAL PART OF
 11:26AM **19** THE, OF ALL THE PLL'S IN THE HTC PHONES (INDICATING); RIGHT?
 11:26AM **20** A. I AGREE WITH YOU THAT IF WE ARE TALKING ABOUT PLL, PLL
 11:26AM **21** NEEDS TO HAVE A REFERENCE. SO IT IS -- LIKE IF WE ARE -- IF I
 11:26AM **22** AM TEACHING PLL, OKAY, I'LL HAVE TO INCLUDE THE REFERENCE.
 11:26AM **23** Q. RIGHT. SO YOUR POINT IS YOU CAN'T HAVE A -- YOU CAN'T
 11:27AM **24** HAVE A PLL WITHOUT A CRYSTAL?
 11:27AM **25** A. WITHOUT A REFERENCE, YES, CORRECT.

UNITED STATES COURT REPORTERS

11:27AM **1** Q. OKAY. AND TYPICALLY THE REFERENCE IS A CRYSTAL; CORRECT?
 11:27AM **2** A. YES, LET'S SAY A CRYSTAL.
 11:27AM **3** Q. A STABLE, EXTERNAL REFERENCE; CORRECT?
 11:27AM **4** A. YES, RIGHT.
 11:27AM **5** Q. OKAY. NOW --
 11:27AM **6** YOUR HONOR, MAY I APPROACH AGAIN?
 11:27AM **7** THE COURT: YOU MAY.
 11:27AM **8** MR. SMITH: THANK YOU.
 11:27AM **9** Q. NOW, THIS STABLE REFERENCE HAS A RELATIONSHIP TO THE CLOCK
 11:27AM **10** SIGNAL IN ALL THE HTC PHONES THAT YOU LOOKED AT (INDICATING);
 11:27AM **11** CORRECT?
 11:27AM **12** A. IT IS USED TO ADJUST THE FREQUENCY GENERATED BY THE RING
 11:27AM **13** OSCILLATOR, SO IT HAS SOME RELATIONSHIP WITH IT.
 11:27AM **14** Q. AND I THINK -- AND IT'S A -- IT'S A FORMULA; RIGHT?
 11:27AM **15** A. YOU CAN PUT IT THIS WAY. AS I EXPLAINED TO THE COURT,
 11:27AM **16** BASICALLY IF I CAN POINT, THIS FREQUENCY AND THIS FREQUENCY
 11:27AM **17** HAVE TO BE EQUAL (INDICATING).
 11:27AM **18** Q. BUT IT'S A FORMULA?
 11:27AM **19** A. HOWEVER YOU COME TO THAT, YEAH, YOU CAN MAKE A FORMULA OUT
 11:28AM **20** OF IT, BUT BASICALLY DIVIDING THE RING OSCILLATOR TO BE EQUAL,
 11:28AM **21** OR EVEN IF THERE IS -- IF YOU WANT THIS ONE TO RUN EXACTLY
 11:28AM **22** EQUAL FREQUENCY OF THIS ONE, THEN YOU HAVE TO DIVIDE IT.
 11:28AM **23** Q. WELL, DOCTOR, YOU CALL THIS, THIS RELATIONSHIP, I THINK
 11:28AM **24** YOU WERE SMILING WHEN YOU SAID IT, BUT YOU CALLED IT THE SECRET
 11:28AM **25** FORMULA; RIGHT?

UNITED STATES COURT REPORTERS

11:28AM **1** A. BECAUSE --
 11:28AM **2** Q. BUT THAT'S WHAT YOU SAID, SIR; RIGHT?
 11:28AM **3** A. IT'S A COMMON SENSE.
 11:28AM **4** Q. NO, NO. I DIDN'T --
 11:28AM **5** A. THIS IS EQUAL TO THAT, SO YOU CAN DERIVE THAT RELATIONSHIP
 11:28AM **6** AND IT'S A TEXTBOOK.
 11:28AM **7** Q. WELL, DOCTOR, I PROMISE YOU, WE WILL GET TO THE FORMULA.
 11:28AM **8** A. OKAY.
 11:28AM **9** Q. BUT I WANT TO JUST ASK SOME SIMPLE QUESTIONS SO WE'RE
 11:28AM **10** ROLLING ON THE SAME PAGE.
 11:28AM **11** A. ALL RIGHT.
 11:28AM **12** Q. YOU CALLED THIS RELATIONSHIP BETWEEN THE EXTERNAL
 11:28AM **13** REFERENCE AND THIS CLOCK SIGNAL (INDICATING), YOU REFERRED TO
 11:28AM **14** IT AS THE SECRET FORMULA; RIGHT? YES?
 11:28AM **15** A. I USED THE TERM BECAUSE IT IS IN EVERY TEXTBOOK, SO I WAS
 11:29AM **16** SURPRISED IT'S SECRET.
 11:29AM **17** Q. RIGHT. BUT THE FORMULA FOR THE RELATIONSHIP BETWEEN THIS
 11:29AM **18** EXTERNAL CRYSTAL, EXTERNAL REFERENCE AND THE CLOCK SIGNALS IS
 11:29AM **19** IN EVERY TEXTBOOK? THAT'S YOUR POINT; RIGHT?
 11:29AM **20** A. AND EVERY TEXTBOOK ON PLL WILL TELL YOU THAT THIS, YOU
 11:29AM **21** KNOW, IS RELATED TO THAT ONE AND IT'S RELATED BY WHATEVER
 11:29AM **22** DIVIDE FACTOR IT IS, AND IF YOU PUT A DIVIDE FACTOR OVER THERE,
 11:29AM **23** YOU KNOW, ET CETERA, WHICH MEANS WHAT MR. HAROUN WAS TRYING TO
 11:29AM **24** DIVIDE HERE --
 11:29AM **25** Q. RIGHT.

UNITED STATES COURT REPORTERS

11:29AM **1** A. -- NOT VERY SUCCESSFULLY.
 11:29AM **2** Q. IF WE CAN TAKE DOWN -- AND YOU DISAGREED WITH DR. HAROUN;
 11:29AM **3** CORRECT?
 11:29AM **4** A. I DIDN'T HAVE A PRIVILEGE TO SEE THAT FORMULA AND --
 11:29AM **5** Q. JUST IN GENERAL YOU DISAGREE?
 11:29AM **6** A. AND I WONDER IF THAT WOULD BE ANYTHING DIFFERENT THAN
 11:29AM **7** WHAT'S IN THE TEXTBOOKS.
 11:29AM **8** Q. OKAY. IF WE COULD TAKE DOWN THE ELMO AND PUT UP EXHIBIT
 11:29AM **9** 3027. AND LET'S -- WE'VE LOOKED AT A LOT OF SLIDES AND
 11:30AM **10** ANIMATIONS IN THIS CASE, DOCTOR, BUT I WANT TO LOOK AT AN
 11:30AM **11** ACTUAL DATA SHEET FOR ONE OF THE QUALCOMM CHIPS THAT'S USED IN
 11:30AM **12** AN ACTUAL ACCUSED HTC PHONE. RIGHT?
 11:30AM **13** A. YEAH.
 11:30AM **14** Q. AND THIS IS A PLL (INDICATING)?
 11:30AM **15** A. THAT IS A PLL.
 11:30AM **16** Q. AND IT'S FOR 45 NANOMETER MOBILE CPU'S; CORRECT?
 11:30AM **17** A. CORRECT.
 11:30AM **18** Q. AND THE 45 NANOMETER, NM, REFERS TO THE SIZE OF THE CHIP;
 11:30AM **19** CORRECT?
 11:30AM **20** A. IT IS FABRICATION TECHNOLOGY, WHICH MEANS THAT MINIMAL
 11:30AM **21** TRANSISTOR FEATURE SIZE IS 45 NANOMETER.
 11:30AM **22** Q. IT REFERS TO THE PHOTOLITHOGRAPHY PROCESS TO GET TO THE 45
 11:30AM **23** NANOMETERS; CORRECT?
 11:30AM **24** A. YES, CORRECT.
 11:30AM **25** Q. OKAY. NOW, THIS DATA SHEET IS CONFIDENTIAL --

UNITED STATES COURT REPORTERS

11:30AM **1** OH, CAN WE GO DARK ON THE OUTSIDE, PLEASE? OKAY, GREAT.

11:30AM **2** -- IT'S QUALCOMM CONFIDENTIAL AND PROPRIETARY INFORMATION;

11:30AM **3** CORRECT?

11:30AM **4** **A. THAT IS CORRECT.**

11:31AM **5** **Q.** AND THEY SHARE IT WITH THEIR CUSTOMERS SUCH AS HTC;

11:31AM **6** CORRECT?

11:31AM **7** **A. THEY SHARE IT WITH HTC.**

11:31AM **8** **Q.** AND THIS TYPE OF DATA SHEET IS SHARED WITH, TYPICALLY, HTC

11:31AM **9** ENGINEERS; RIGHT?

11:31AM **10** **A. THAT IS CORRECT.**

11:31AM **11** **Q.** OKAY. THIS IS NOT FOR MASS PUBLICATION?

11:31AM **12** **A. THAT IS CORRECT.**

11:31AM **13** **Q.** YOU HAVE TO HAVE A -- YOU'VE GOT TO BE PRETTY TECHNICAL TO

11:31AM **14** READ THESE, DOCTOR; RIGHT?

11:31AM **15** **A. THAT IS CORRECT.**

11:31AM **16** **Q.** OKAY. AND LET'S TAKE A LOOK AT FIGURE 2.1, IF WE COULD,

11:31AM **17** JIM, WHICH IS ON PAGE 9.

11:31AM **18** DOCTOR, THAT SHOULD BE RIGHT IN FRONT OF YOU.

11:31AM **19** **A. YES.**

11:31AM **20** **Q.** AND IF WE COULD BLOW UP THIS PLL CORE (INDICATING).

11:31AM **21** NOW, THIS IS A BLOCK DIAGRAM OF A PLL (INDICATING);

11:31AM **22** CORRECT?

11:31AM **23** **A. YES. AND I THINK I SHOWED THE VERY SAME ONE HERE.**

11:32AM **24** **Q.** OR VERY SIMILAR; RIGHT?

11:32AM **25** **A. NO. THE SAME ONE WAS IN MY PRESENTATION.**

UNITED STATES COURT REPORTERS

11:32AM **1** **Q.** OKAY. NOW, THIS TCXO, DOCTOR, DO YOU SEE THAT?

11:32AM **2** **A. YES.**

11:32AM **3** **Q.** THAT'S A TEMPERATURE CONTROLLED CRYSTAL OSCILLATOR; RIGHT?

11:32AM **4** **A. AS I EXPLAINED, YES.**

11:32AM **5** **Q.** AND THAT INCLUDES A CRYSTAL; RIGHT?

11:32AM **6** **A. THAT'S A STABLE REFERENCE.**

11:32AM **7** **Q.** STABLE REFERENCE. AND THAT STABLE REFERENCE IS OFF CHIP;

11:32AM **8** CORRECT?

11:32AM **9** **A. THAT IS CORRECT. THAT'S IN THE LITTLE SHINY BOX WHICH I**

11:32AM **10** **HAVE SHOWN.**

11:32AM **11** **Q.** OKAY. AND THE PLL OUT OF THE QUALCOMM CHIP SENDS THE

11:32AM **12** FREQUENCY OF THAT CLOCK TO THE CPU; CORRECT?

11:32AM **13** **A. THAT IS CORRECT.**

11:32AM **14** **Q.** ALL RIGHT. AND SOMETIMES THAT'S REFERRED TO, AS YOU KNOW,

11:32AM **15** CLOCK OUT OR PLL OUT; RIGHT?

11:32AM **16** **A. THAT IS CORRECT.**

11:32AM **17** **Q.** THERE'S NOT ONE STANDARD WAY YOU CAN REFER TO THAT PLL

11:32AM **18** OUT; RIGHT?

11:32AM **19** **A. YES.**

11:32AM **20** **Q.** OKAY. NOW, IF WE LOOK AT PAGE 29 OF EXHIBIT 3027, AND WE

11:33AM **21** CAN BLOW UP THE FIRST PART HERE, IT SAYS "OPERATION" AT THE

11:33AM **22** TOP. JIM, IF WE COULD PULL THAT DOWN JUST A LITTLE BIT. IT

11:33AM **23** SAYS "OPERATION" RIGHT HERE (INDICATING).

11:33AM **24** AND 5.1 STATES "OUTPUT FREQUENCIES," CORRECT; DOCTOR.

11:33AM **25** **A. YES, THAT'S CORRECT.**

UNITED STATES COURT REPORTERS

11:33AM **1** **Q.** AND IT STATES THAT "THE PLL OUTPUT FREQUENCY IS GIVEN

11:33AM **2** BY" -- AND THE PLL OUTPUT CLOCK IS WHAT WE JUST SAW IN FIGURE

11:33AM **3** 2; RIGHT?

11:33AM **4** **A. YES.**

11:33AM **5** **Q.** OKAY. AND NOW -- AND HERE'S THE SECRET FORMULA, F CLOCK

11:33AM **6** EQUALS FTCXO, AND THIS IS TIMES L TIMES 2; RIGHT? DID I READ

11:33AM **7** THAT RIGHT?

11:33AM **8** **A. YES.**

11:33AM **9** **Q.** THOSE LITTLE ASTERISKS, THEY'RE THE MULTIPLICATIONS --

11:33AM **10** **A. YES.**

11:33AM **11** **Q.** -- IN THIS FORMULA?

11:33AM **12** **A. YES, BECAUSE YOU HAVE -- BECAUSE YOU HAVE DIVIDED THE**

11:33AM **13** **CLOCK BY L AND YOU DIVIDED IT AGAIN BY 2, SO YOU HAVE TO**

11:34AM **14** **MULTIPLY TO GET THAT.**

11:34AM **15** **Q.** AND THAT TCXO WE SAW WAS FROM FIGURE 2; RIGHT?

11:34AM **16** **A. YES.**

11:34AM **17** **Q.** AND THAT'S THE EXTERNAL REFERENCE?

11:34AM **18** **A. THAT'S THE EXTERNAL REFERENCE.**

11:34AM **19** **Q.** AND THAT'S FIXED, OR A STABLE REFERENCE?

11:34AM **20** **A. THAT IS A STABLE REFERENCE.**

11:34AM **21** **Q.** OKAY. AND NOW IF WE GO DOWN TO THE TEXT, IT STATES, THE

11:34AM **22** VALID OUTPUT FREQUENCIES, RIGHT HERE (INDICATING), WITH A 19.2

11:34AM **23** MEGAHERTZ REFERENCE ARE LISTED IN TABLE 5.1. CORRECT? THAT'S

11:34AM **24** WHAT IT SAYS?

11:34AM **25** **A. YES.**

UNITED STATES COURT REPORTERS

11:34AM **1** **Q.** AND TABLE 5.1 IS NOT HERE, AND WE'LL GET TO THAT IN A

11:34AM **2** MOMENT, BUT THIS 19.2 MEGAHERTZ IS REFERRING TO THE TCXO;

11:34AM **3** CORRECT?

11:34AM **4** **A. THAT IS CORRECT.**

11:34AM **5** **Q.** AND THAT'S WHAT'S THE FREQUENCY THAT'S COMING INTO THE

11:34AM **6** PLL?

11:34AM **7** **A. YES, THAT'S CORRECT.**

11:34AM **8** **Q.** THAT'S THE STABLE REFERENCE SIGNAL?

11:34AM **9** **A. THAT'S THE REFERENCE THAT COMES FROM THE SHINY LITTLE**

11:34AM **10** **HOUSING ON THE CHIP.**

11:34AM **11** **Q.** OKAY. NOW, LET'S WALK THROUGH THIS FORMULA, DOCTOR.

11:34AM **12** MAY I APPROACH AGAIN, YOUR HONOR?

11:34AM **13** THE COURT: YOU MAY.

11:34AM **14** BY MR. SMITH:

11:35AM **15** **Q.** ON THIS TABLE 5-1 SAYS "PLL OUTPUT CLOCK FREQUENCIES WITH

11:35AM **16** 19.2 MEGAHERTZ REFERENCE"?

11:35AM **17** **A. CORRECT.**

11:35AM **18** **Q.** AND AGAIN, THE 19.2 MEGAHERTZ REFERENCE IS REALLY THE TCXO

11:35AM **19** UP THERE (INDICATING); RIGHT?

11:35AM **20** **A. RIGHT.**

11:35AM **21** **Q.** AND THIS INPUT FREQUENCY ON THE LEFT-HAND SIDE, IT'S

11:35AM **22** ALWAYS 19.2; RIGHT?

11:35AM **23** **A. THAT IS CORRECT.**

11:35AM **24** **Q.** AND WHY IT'S CALLED INPUT FREQUENCY, IT'S ACTUALLY

11:35AM **25** INPUTTED INTO THE PLL FROM OFF CHIP?

UNITED STATES COURT REPORTERS

11:35AM **1** **A. IT IS A REFERENCE, REFERENCE INPUT.**

11:35AM **2** **Q. FROM OFF CHIP?**

11:35AM **3** **A. FROM OFF CHIP.**

11:35AM **4** **Q. OKAY. AND THEN THE WAY THIS WORKS IS L IS REFERRED TO IN**

11:35AM **5** **THE FORMULA UP HERE (INDICATING); CORRECT?**

11:35AM **6** **A. YEAH, THAT'S A DIVIDE FACTOR. THIS IS HOW MUCH THEY**

11:35AM **7** **DIVIDE THE VCO FREQUENCY.**

11:35AM **8** **Q. OKAY. AND THEN THE WAY YOU SET L IS THROUGH THESE BINARY**

11:35AM **9** **VALUES; CORRECT?**

11:35AM **10** **A. THAT IS THE BINARY 10.**

11:35AM **11** **Q. THAT'S 10, RIGHT THERE (INDICATING).**

11:35AM **12** **AND THIS IS A BINARY NUMBER 11 AND SO FORTH (INDICATING);**

11:36AM **13** **CORRECT?**

11:36AM **14** **A. THAT IS CORRECT.**

11:36AM **15** **Q. OKAY. AND THEN WHEN WE SEE THE OUTPUT FREQUENCY, THIS IS**

11:36AM **16** **WHAT COMES OUT OF THE PLL (INDICATING); CORRECT?**

11:36AM **17** **A. THAT IS -- YES, THAT IS THE FREQUENCY OF THE RING**

11:36AM **18** **OSCILLATOR.**

11:36AM **19** **Q. OKAY. THIS -- THIS FREQUENCY IS OUTPUTTED FROM THE PLL;**

11:36AM **20** **CORRECT?**

11:36AM **21** **A. FROM THE -- YES, FROM THE RING OSCILLATOR.**

11:36AM **22** **Q. WELL, COMING OUT OF THE PLL IS THIS FREQUENCY**

11:36AM **23** **(INDICATING); RIGHT?**

11:36AM **24** **A. BECAUSE PLL IS SURROUNDING RING OSCILLATOR.**

11:36AM **25** **Q. NO, I UNDERSTAND. I'M JUST ASKING YOU, IT COMES OUT OF**

UNITED STATES COURT REPORTERS

11:36AM **1** **THE PLL, THOUGH; RIGHT?**

11:36AM **2** **A. IT COMES OUT OF -- IT CORRECTLY COMES OUT OF THE RING**

11:36AM **3** **OSCILLATOR.**

11:36AM **4** **BUT IF YOU PUT A BOX AROUND IT AND CALL IT PLL, THEN IT**

11:36AM **5** **COMES OUT OF THE PLL.**

11:36AM **6** **Q. OKAY. AND THE WAY YOU GET THERE, DOCTOR, AND LET ME --**

11:36AM **7** **LET'S MOVE BACK A LITTLE BIT.**

11:36AM **8** **SO THE USER, LIKE HTC, WOULD GET TO DECIDE WHAT FREQUENCY**

11:36AM **9** **THEY WANT TO COME OUT OF THAT PLL; RIGHT?**

11:36AM **10** **A. THAT IS CORRECT.**

11:36AM **11** **Q. THAT'S THE PURPOSE OF A PLL? YOU CAN STABILIZE OR FIX THE**

11:36AM **12** **DIFFERENT FREQUENCIES?**

11:36AM **13** **A. TO ADJUST THE FREQUENCY OF THE RING OSCILLATOR WILL BE**

11:37AM **14** **WITH RESPECT TO REFERENCE -- JUST LIKE YOUR CRUISE CONTROL. SO**

11:37AM **15** **THIS IS WHERE YOU'RE SETTING YOUR CRUISE CONTROL (INDICATING).**

11:37AM **16** **Q. SO YOU CAN SET THESE VALUES DIFFERENTLY DEPENDING UPON**

11:37AM **17** **WHAT YOU WANT TO ACHIEVE IN THE PHONE; CORRECT?**

11:37AM **18** **A. THAT IS CORRECT.**

11:37AM **19** **Q. OKAY. NOW, THE WAY THIS WORKS -- LET'S GO THROUGH THE**

11:37AM **20** **FIRST ONE. HERE WE HAVE THE REFERENCE SIGNAL COMING IN, RIGHT,**

11:37AM **21** **19.2?**

11:37AM **22** **A. YES.**

11:37AM **23** **Q. AND IT'S MULTIPLIED BY L, TIMES 10, RIGHT? AND THEN IT'S**

11:37AM **24** **MULTIPLIED BY 2 AGAIN. SO 19.2 TIMES L, WHICH IS 10, TIMES 2,**

11:37AM **25** **GIVES YOU THE 384; CORRECT?**

UNITED STATES COURT REPORTERS

11:37AM **1** **A. OKAY. LET ME JUST EXPLAIN.**

11:37AM **2** **Q. NO, NO. CAN YOU JUST ANSWER MY QUESTION, DOCTOR? DID I**

11:37AM **3** **DO THE MATH RIGHT?**

11:37AM **4** **A. YOU HAVE -- BECAUSE YOU DIVIDED. YOU DIVIDED IT BY 2.**

11:37AM **5** **YOU DIVIDED -- YOU DIVIDED THE 384 BY 10, YOU GET 38, YOU**

11:37AM **6** **DIVIDE IT BY 2, YOU GET 19.2 SO YOU CAN COMPARE THOSE TWO**

11:37AM **7** **REFERENCES.**

11:37AM **8** **THE PLL DOESN'T MULTIPLY. IT DIVIDES.**

11:37AM **9** **Q. SO, DOCTOR, YOU'RE DISAGREEING WITH THIS QUALCOMM**

11:38AM **10** **DOCUMENT; CORRECT?**

11:38AM **11** **A. WHAT THEY HAVE PRESENTED --**

11:38AM **12** **Q. NO. DOCTOR, CAN YOU JUST ANSWER MY QUESTION?**

11:38AM **13** **A. THERE IS A RELATIONSHIP BETWEEN --**

11:38AM **14** **MR. SMITH: YOUR HONOR --**

11:38AM **15** **THE WITNESS: -- BETWEEN THE CLOCK AND THE REFERENCE,**

11:38AM **16** **BUT THAT DOES NOT DESCRIBE HOW PLL WORKS. IT JUST TELLS YOU,**

11:38AM **17** **IF YOU SET THAT ON 10, THIS IS WHAT YOU'RE GOING TO GET OUT.**

11:38AM **18** **THE COURT: MR. SMITH, DO YOU WISH TO RAISE AN**

11:38AM **19** **OBJECTION OR MAKE A REQUEST?**

11:38AM **20** **MR. SMITH: I DON'T WANT TO BE ARGUMENTATIVE, YOUR**

11:38AM **21** **HONOR. I JUST ASKED A VERY SIMPLE QUESTION AND I WOULD**

11:38AM **22** **INSTRUCT THE WITNESS NOT TO --**

11:38AM **23** **THE COURT: YOU'RE ASKING ME TO GIVE THE WITNESS AN**

11:38AM **24** **INSTRUCTION TO THE WITNESS?**

11:38AM **25** **MR. SMITH: YES.**

UNITED STATES COURT REPORTERS

11:38AM **1** **THE COURT: MR. MARSH?**

11:38AM **2** **MR. MARSH: YOUR HONOR, WE WOULD JUST ASK THAT THE**

11:38AM **3** **WITNESS BE PERMITTED TO ANSWER WITHOUT INTERRUPTION.**

11:38AM **4** **THE COURT: OKAY. SO LET ME JUST REITERATE SOME**

11:38AM **5** **GROUND RULES.**

11:38AM **6** **DR. OKLOBDZIJA, YOU, LIKE ALL WITNESSES, MUST ANSWER A**

11:38AM **7** **QUESTION PUT TO YOU IN CROSS-EXAMINATION WITH A "YES" OR "NO"**

11:38AM **8** **OR "I DON'T KNOW," ASSUMING THE QUESTION ASKS FOR THAT TYPE OF**

11:38AM **9** **RESPONSE.**

11:38AM **10** **MR. MARSH WILL GIVE YOU AN OPPORTUNITY TO CLARIFY ANY**

11:38AM **11** **CONCERNS YOU MAY HAVE IN HIS REDIRECT.**

11:38AM **12** **AS FOR LETTING THE WITNESS ANSWER THE QUESTION, THAT'S A**

11:38AM **13** **FAIR REQUEST AS WELL.**

11:38AM **14** **MR. OKLOBDZIJA, I'M SURE IF YOU ANSWER THE QUESTION, HE**

11:38AM **15** **WILL GIVE YOU A FULL OPPORTUNITY TO GIVE YOUR ANSWER.**

11:38AM **16** **BUT LET'S PROCEED ON THOSE LINES SO WE DON'T WASTE THE**

11:39AM **17** **JURY'S TIME.**

11:39AM **18** **MR. SMITH: THANK YOU, YOUR HONOR.**

11:39AM **19** **THE COURT: MR. SMITH, GO AHEAD.**

11:39AM **20** **MR. MARSH: THANK YOU, YOUR HONOR.**

11:39AM **21** **BY MR. SMITH:**

11:39AM **22** **Q. SO, DR. OKLOBDZIJA, YOU WOULD AGREE WITH ME, 19.2 TIMES 10**

11:39AM **23** **TIMES 2 EQUALS 384; CORRECT?**

11:39AM **24** **A. THAT IS THE BASIC MATH.**

11:39AM **25** **Q. THAT'S THE BASIC MATH THAT'S IN THIS DOCUMENT; CORRECT?**

UNITED STATES COURT REPORTERS

11:39AM **1** **A. THAT THEY PUT IN A DOCUMENT TO, TO TELL PEOPLE HOW CAN**

11:39AM **2** **THEY GET THE REFERENCE FREQUENCY OR HOW CAN THEY HAVE THE**

11:39AM **3** **OUTPUT FREQUENCY WITH RESPECT TO THE REFERENCE, WHICH IS 19.2.**

11:39AM **4** **Q. OKAY. AND IT'S ALL BASED UPON THIS FORMULA (INDICATING):**

11:39AM **5** **CORRECT?**

11:39AM **6** **A. THIS FORMULA SHOWS THE RELATIONSHIP TO IT.**

11:39AM **7** **Q. OKAY. WE CAN TAKE THAT ONE DOWN. THANK YOU, DOCTOR.**

11:39AM **8** **NOW, DR. OKLOBDZIJA, ONE OF THE -- LET'S SWITCH GEARS.**

11:39AM **9** **LET ME TELL YOU WE'RE GOING TO SWITCH GEARS. WE'RE OFF THE**

11:39AM **10** **SECRET FORMULA. LET'S TALK ABOUT BINNING.**

11:40AM **11** **A. ALL RIGHT.**

11:40AM **12** **Q. ALL RIGHT. NOW, BINNING HAS BEEN AROUND FOR A LONG TIME;**

11:40AM **13** **RIGHT?**

11:40AM **14** **A. NOT FOR SUCH A LONG TIME. I BELIEVE THAT, YOU KNOW, THE**

11:40AM **15** **FIRST HALF OF THE TECHNOLOGY LIFE THERE WAS NO BINNING. IT**

11:40AM **16** **CAME LATER WHEN VARIATIONS INCREASED.**

11:40AM **17** **Q. IT WAS THERE BY THE EARLY '80S; RIGHT?**

11:40AM **18** **A. FOR SOME HIGH SPECIALTY PARTS.**

11:40AM **19** **Q. OKAY. AND IF WE CAN GO BACK TO THE ELMO, AND JUST SO WE**

11:40AM **20** **CAN ORIENT OURSELVES, WHEN YOU PUT UP -- I PUT UP CLAIM 16, OR**

11:40AM **21** **13, I BELIEVE, AND WE HAD THE PINK OR PURPLE VARYING TOGETHER,**

11:40AM **22** **THAT'S THE SECOND ELEMENT, RIGHT?**

11:40AM **23** **A. ELEMENT B.**

11:40AM **24** **Q. ELEMENT B. SO WE'VE MOVED ON FROM ELEMENT A. NOW WE'RE**

11:40AM **25** **ON ELEMENT B; RIGHT?**

UNITED STATES COURT REPORTERS

750

11:40AM **1** **A. THAT'S CORRECT.**

11:40AM **2** **Q. NOW, BINNING, YOU SAID BINNING IS ONE OF THE REASONS WHY**

11:41AM **3** **YOU BELIEVE THAT THE VARYING TOGETHER ELEMENT WAS INFRINGED;**

11:41AM **4** **CORRECT?**

11:41AM **5** **A. WELL, BECAUSE THEY VARY TOGETHER WHEN YOU PUT THEM IN THE**

11:41AM **6** **FAST BIN, THEY'RE FAST TOGETHER. WHEN YOU PUT THEM IN THE SLOW**

11:41AM **7** **BIN, THEY'RE SLOW TOGETHER.**

11:41AM **8** **Q. SO YOU, YOU SAY THE HTC PHONES INFRINGE THE VARYING**

11:41AM **9** **TOGETHER LIMITATION BECAUSE OF BINNING; CORRECT?**

11:41AM **10** **A. THAT IS CORRECT.**

11:41AM **11** **Q. OKAY. NOW, LET'S GO THROUGH THE PROCESS OF BINNING IN A**

11:41AM **12** **LITTLE BIT OF DETAIL. OKAY?**

11:41AM **13** **A. ALL RIGHT.**

11:41AM **14** **Q. THE CHIPS ARE BASICALLY SORTED BY SPEED CAPABILITY.**

11:41AM **15** **YOUR HONOR, MAY I APPROACH AGAIN?**

11:41AM **16** **THE COURT: YOU MAY, MR. SMITH.**

11:41AM **17** **MR. SMITH: THANK YOU.**

11:41AM **18** **Q. BY SPEED; RIGHT? 800 MEGAHERTZ, 1.0 GIGAHERTZ, AND THIS**

11:41AM **19** **LOOKS LIKE 1.3 GIGAHERTZ; CORRECT?**

11:41AM **20** **A. YEAH. THAT'S ILLUSTRATION.**

11:41AM **21** **Q. AND SO THE CHIPS -- AND THE SPEED WE'RE TALKING ABOUT IS**

11:41AM **22** **CAPABILITY; RIGHT?**

11:41AM **23** **A. WHEN YOU BIN, IT'S THE ACTUAL SPEED.**

11:42AM **24** **Q. WELL, YOU COULD SET THE SPEED LOWER THAN 800 MEGAHERTZ;**

11:42AM **25** **CORRECT?**

UNITED STATES COURT REPORTERS

11:42AM **1** **A. YOU CAN SET IT LOWER, YES.**

11:42AM **2** **Q. THIS IS THE MAXIMUM SPEED; CORRECT?**

11:42AM **3** **A. MAXIMUM SAFE SPEED.**

11:42AM **4** **Q. MAXIMUM SAFE SPEED, OKAY.**

11:42AM **5** **SO THESE CHIPS ARE ALL SORTED INTO DIFFERENT BINS BASED**

11:42AM **6** **UPON WHAT YOU CALL MAXIMUM SAFE SPEED; CORRECT?**

11:42AM **7** **A. BASED ON THEIR CAPABILITIES.**

11:42AM **8** **Q. RIGHT. AND THE WAY YOU DETERMINE WHERE THE CHIP WOULD GO**

11:42AM **9** **INTO WHAT BIN IS YOU HAVE TO TEST THESE; RIGHT?**

11:42AM **10** **A. THAT IS CORRECT.**

11:42AM **11** **Q. AND I THINK YOU SHOWED US SOME VIDEO OR SOME -- OR SLIDES**

11:42AM **12** **THAT THERE WERE SEVERAL TESTING STEPS YOU HAVE TO GO THROUGH.**

11:42AM **13** **A. THAT IS CORRECT.**

11:42AM **14** **Q. AND YOU HAVE TO HOOK THE CHIPS UP AND SEE HOW FAST THEY**

11:42AM **15** **RUN?**

11:42AM **16** **A. THAT IS CORRECT.**

11:42AM **17** **Q. AND IT'S PRETTY SOPHISTICATED EQUIPMENT; RIGHT?**

11:42AM **18** **A. THAT IS CORRECT.**

11:42AM **19** **Q. OKAY. AND ONCE YOU DETERMINE HOW FAST THESE THINGS ARE,**

11:42AM **20** **THE MAXIMUM SAFE SPEED, YOU PUT THEM IN DIFFERENT BINS,**

11:43AM **21** **LITERALLY PUT THEM IN SEPARATE FILES (INDICATING); CORRECT?**

11:43AM **22** **A. YES, THAT'S CORRECT.**

11:43AM **23** **Q. AND THEN THE MANUFACTURER, LIKE QUALCOMM, FOR EXAMPLE,**

11:43AM **24** **WOULD SELL THESE CHIPS FOR DIFFERENT PRICES; CORRECT?**

11:43AM **25** **A. YES, THAT'S CORRECT.**

UNITED STATES COURT REPORTERS

752

11:43AM **1** **Q. AND THE SLOW ONES WOULD GO INTO A PHONE, A FLIP TOP PHONE,**

11:43AM **2** **FOR EXAMPLE; AND THEN THE MEDIUM WOULD GO IN A DIFFERENT PHONE;**

11:43AM **3** **AND SO FORTH AND SO ON; RIGHT?**

11:43AM **4** **A. RIGHT.**

11:43AM **5** **Q. BUT YOU WOULD AGREE WITH ME, DOCTOR, THESE CHIPS THAT ARE**

11:43AM **6** **IN ONE BIN FROM THE OTHER ARE DIFFERENT; CORRECT? THEY'RE**

11:43AM **7** **DIFFERENT CHIPS?**

11:43AM **8** **A. WITHIN A BIN?**

11:43AM **9** **Q. NO, BETWEEN THE BINS.**

11:43AM **10** **A. YES.**

11:43AM **11** **Q. THIS IS A DIFFERENT SET OF CHIPS (INDICATING) THAN THIS**

11:43AM **12** **SET OF CHIPS (INDICATING) THAN THIS SET OF CHIPS (INDICATING);**

11:43AM **13** **RIGHT?**

11:43AM **14** **A. THEY COME FROM THE SAME WAFER, SO THEY ARE SAME CHIPS, BUT**

11:43AM **15** **WITH DIFFERENT CAPABILITIES.**

11:43AM **16** **Q. RIGHT. THEY EACH HAVE DIFFERENT CAPABILITIES; RIGHT?**

11:43AM **17** **A. THAT'S CORRECT.**

11:43AM **18** **Q. AND WITHIN THE BIN, THEY HAVE THE SAME CAPABILITIES,**

11:43AM **19** **GENERALLY?**

11:43AM **20** **A. WITHIN A RANGE.**

11:43AM **21** **Q. IN A NARROW RANGE.**

11:44AM **22** **NOW, AGAIN, WE CAN SET THE ACTUAL SPEED LOWER THAN, FOR**

11:44AM **23** **EXAMPLE, 800 MEGAHERTZ; CORRECT?**

11:44AM **24** **A. IF YOU WANT.**

11:44AM **25** **Q. AND YOU COULD SET, FOR EXAMPLE, THIS TO 700 MEGAHERTZ**

UNITED STATES COURT REPORTERS

EXHIBIT 3

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF CALIFORNIA
SAN JOSE DIVISION

HTC CORPORATION AND HTC AMERICA, INC.,
PLAINTIFF,
VS.
TECHNOLOGY PROPERTIES LIMITED,
PATRIOT SCIENTIFIC CORPORATION
AND ALLIACENSE LIMITED,
DEFENDANT.

) C-08-00882 PSG
)
) SAN JOSE, CALIFORNIA
)
) SEPTEMBER 25, 2013
)
) VOLUME 3
)
) PAGES 410-640
)
)
)

TRANSCRIPT OF PROCEEDINGS
BEFORE THE HONORABLE PAUL S. GREWAL
UNITED STATES MAGISTRATE JUDGE

A P P E A R A N C E S :

FOR THE PLAINTIFF: COOLEY LLP
BY: HEIDI KEEFE
RON LEMIEUX
3175 HANOVER STREET
PALO ALTO, CALIFORNIA 94304

APPEARANCES CONTINUED ON NEXT PAGE

OFFICIAL COURT REPORTER: LEE-ANNE SHORTRIDGE, CSR, CRR
CERTIFICATE NUMBER 9595

PROCEEDINGS RECORDED BY MECHANICAL STENOGRAPHY
TRANSCRIPT PRODUCED WITH COMPUTER

UNITED STATES COURT REPORTERS

INDEX OF WITNESSES

DEFENDANTS'

DANIEL LECKRONE
DIRECT EXAM BY MR. OTTESON (RES.) P. 424
CROSS-EXAM BY MR. LEMIEUX P. 445
REDIRECT EXAM BY MR. OTTESON P. 473

VOJIN OKLOBDZIJA
DIRECT EXAM BY MR. MARSH P. 487

INDEX OF EXHIBITS

MARKED ADMITTED

DEFENDANTS'

| | |
|------|-----|
| 245 | 519 |
| 1618 | 519 |
| 1619 | 519 |
| 594 | 519 |
| 1567 | 519 |

PLAINTIFF'S

| | |
|------|-----|
| 3319 | 520 |
| 3446 | 520 |
| 3321 | 521 |

UNITED STATES COURT REPORTERS

APPEARANCES (CONTINUED)

FOR THE PLAINTIFF: COOLEY LLP
BY: STEPHEN R. SMITH
1299 PENNSYLVANIA AVENUE, NW
SUITE 700
WASHINGTON, D.C. 20004
BY: MATTHEW J. LEARY
380 INTERLOCKEN CRESCENT, SUITE 900
BROOMFIELD, COLORADO 80021

ALSO PRESENT: VINCENT LAM

FOR DEFENDANTS: AGILITY IP LAW
TPL AND BY: JAMES C. OTTESON
ALLIACENSE: IRVIN E. TYAN
THOMAS T. CARMACK
VINCENT K. YEE
DAVID LANSKY
149 COMMONWEALTH DRIVE
MENLO PARK, CALIFORNIA 94025

FOR DEFENDANT: KIRBY, NOONAN, LANCE & HOGE
PATRIOT: CHARLES T. HOGE
35 TENTH AVENUE
SAN DIEGO, CALIFORNIA 92101

ALSO PRESENT: CHARLES MOORE
CLIFFORD FLOWERS
DAN LECKRONE
MACK LECKRONE

SAN JOSE, CALIFORNIA SEPTEMBER 25, 2013

P R O C E E D I N G S

(JURY OUT AT 9:04 A.M.)

09:04AM THE COURT: MR. RIVERA, WOULD YOU CALL THE MATTER
09:04AM THAT'S BEEN SET FOR TRIAL?
09:04AM THE CLERK: YES, YOUR HONOR. CALLING HTC
09:04AM CORPORATION, ET AL VERSUS TECHNOLOGY PROPERTIES LIMITED, ET AL
09:04AM CASE NUMBER CV-08-882 PSG. MATTER ON FOR TRIAL.
09:04AM COUNSEL, PLEASE STATE YOUR APPEARANCES.
09:05AM MR. OTTESON: GOOD MORNING, YOUR HONOR. JIM OTTESON
09:05AM FOR THE DEFENDANTS.
09:05AM MR. HOGE: GOOD MORNING, YOUR HONOR. CHARLIE HOGE
09:05AM FOR PATRIOT SCIENTIFIC CORPORATION.
09:05AM MS. KEEFE: GOOD MORNING, YOUR HONOR. HEIDI KEEFE
09:05AM AND MY FRIENDS FOR HTC.
09:05AM THE COURT: ALL RIGHT. GOOD MORNING TO EACH OF YOU.
09:05AM I UNDERSTAND THAT WE HAVE AT LEAST A COUPLE OF ISSUES TO
09:05AM ADDRESS BEFORE WE BRING THE JURY IN THIS MORNING. ONE HAS TO
09:05AM DO WITH MATTERS OF SCHEDULING, AND I ALSO UNDERSTAND THERE MAY
09:05AM BE EVIDENTIARY OBJECTIONS TO ADDRESS AS WELL.
09:05AM WHY DON'T WE START WITH THE SCHEDULING QUESTION?
09:05AM MR. OTTESON, WOULD YOU LIKE TO EXPLAIN TO ME WHAT YOUR POSITION
09:05AM IS AND WHAT YOU WANT ME TO DO?
09:05AM MR. OTTESON: YES. I DON'T THINK THIS IS GOING TO
09:05AM IMPACT THE SCHEDULE HARDLY AT ALL. THE WITNESS WE NEED TO

UNITED STATES COURT REPORTERS

UNITED STATES COURT REPORTERS

01:57PM **1** CONNECTED HERE KEEPS 1 (INDICATING). AND IT'LL KEEP THAT 1
 01:57PM **2** ALWAYS.
 01:57PM **3** SO THAT IS HOW THOSE REGISTERS ARE BUILT THAT HOLD THE
 01:57PM **4** VALUE.
 01:57PM **5** NOW, WE HAVE A CLOCK IN ADDITION TO ALLOW IT -- FOR A
 01:57PM **6** SIGNAL TO BE LATCHED.
 01:57PM **7** BUT IF I HAVE ODD NUMBER, LET'S SAY 3, THEY'RE ALWAYS
 01:57PM **8** CHASING EACH OTHER AS YOU SEE AND THAT PROVIDES AN OSCILLATION.
 01:57PM **9** THAT'S AN OSCILLATOR.
 01:57PM **10** Q. AND IN THE CONTEXT OF THE INVENTION OF THE '336 PATENT,
 01:57PM **11** WHERE IS THE RING OSCILLATOR LOCATED?
 01:57PM **12** A. ON THE SAME CHIP AS THE CPU.
 01:58PM **13** Q. OKAY. SO LET'S TURN TO THE NEXT SLIDE, DDX-19. AND THIS
 01:58PM **14** IS THAT SAME FIGURE?
 01:58PM **15** A. OKAY. LET ME -- LET ME GO BACK ONE, ONE -- LET ME GO BACK
 01:58PM **16** TO THE SAME SLIDE.
 01:58PM **17** Q. OKAY.
 01:58PM **18** A. YEAH, I JUST WANT TO SAY SOMETHING.
 01:58PM **19** SO AS YOU HAVE SEEN, THIS IS THE PHYSICAL MECHANISM, OR
 01:58PM **20** PHYSICAL PHENOMENA THAT GENERATES THAT CLOCK SIGNAL
 01:58PM **21** (INDICATING). THIS CLOCK SIGNAL IS GENERATED BY THE RING
 01:58PM **22** OSCILLATOR CHASING ITSELF (INDICATING). THAT PRODUCES THIS
 01:58PM **23** SIGNAL (INDICATING). THERE'S NOTHING ELSE THAT YOU SEE ON THAT
 01:58PM **24** SLIDE. THERE'S NOTHING EXTERNAL. YOU DON'T SEE A CRYSTAL
 01:58PM **25** HERE. YOU DON'T SEE ANOTHER CLOCK HERE.

UNITED STATES COURT REPORTERS

01:58PM **1** Q. IS THE --
 01:58PM **2** A. YOU SEE THAT RING OSCILLATOR BY ITSELF GENERATING THE
 01:58PM **3** CLOCK.
 01:58PM **4** Q. THANK YOU. OKAY. SO LET'S LOOK AT THE RING OSCILLATOR ON
 01:58PM **5** THE CHIP HERE IN DDX-119. WHERE IS THE CHIP OR THE PROCESSOR
 01:59PM **6** LOCATED HERE?
 01:59PM **7** A. SO HERE WE HAVE AN EXAMPLE OF THE RING OSCILLATOR SERVING
 01:59PM **8** AS A CLOCK (INDICATING). IT'S CONNECTED TO THE CPU
 01:59PM **9** (INDICATING). THE CLOCK SIGNAL IS DISTRIBUTED AROUND THE CPU,
 01:59PM **10** SO THIS RING OSCILLATOR CLOCKS THE CPU (INDICATING).
 01:59PM **11** AND THE CPU RUNS AT THE SAME FREQUENCY AT WHICH THE RING
 01:59PM **12** OSCILLATOR RUNS.
 01:59PM **13** Q. WHAT'S THE BIG GREEN ARROW HERE (INDICATING)?
 01:59PM **14** A. IT'S THE CONNECTION. I MEAN, THIS IS THE CLOCK SIGNAL --
 01:59PM **15** IF YOU GO ONE SLIDE BACK JUST FOR A SEC.
 01:59PM **16** LET'S SAY I TAKE THIS ONE, THIS IS ONE WE OBSERVED, AND I
 01:59PM **17** CONNECT THIS CONNECTION TO THE CPU AND DISTRIBUTE IT ALL OVER
 01:59PM **18** AND AROUND THE CPU (INDICATING). I'M CLOCKING THE CPU FROM
 01:59PM **19** THIS POINT (INDICATING).
 01:59PM **20** Q. THANK YOU. SO I WANT TO MAKE SURE I UNDERSTAND. SO THE
 01:59PM **21** RING OSCILLATOR HAS A CLOCK SIGNAL AND THAT'S THE GREEN ARROW;
 01:59PM **22** IS THAT RIGHT?
 01:59PM **23** A. THAT IS THE GREEN ARROW (INDICATING).
 01:59PM **24** Q. AND WHAT DOES THE CPU DO WITH THAT GREEN ARROW?
 02:00PM **25** A. IT DISTRIBUTES TO ALL THE ELEMENTS THAT NEED CLOCK.

UNITED STATES COURT REPORTERS

02:00PM **1** Q. AND IS THAT THE CLOCK SIGNAL THAT IT USES TO THEN DECIDE
 02:00PM **2** WHEN TO START AND STOP TO DO ITS OPERATIONS?
 02:00PM **3** A. EXACTLY. THAT'S THE START AND STOP OF ALL THE OPERATIONS
 02:00PM **4** THAT THE CPU PERFORMS AS WE ILLUSTRATED BEFORE.
 02:00PM **5** Q. AND WHAT'S THIS BIG BLACK PORTION OF THE RECTANGLE THAT WE
 02:00PM **6** SEE ON THIS SLIDE?
 02:00PM **7** A. THAT IS LET'S SAY ILLUSTRATING THE PACKAGE, OKAY? THIS IS
 02:00PM **8** THE PACKAGE AND THE CHIP IS, IS ENCAPSULATED INTO THIS PACKAGE.
 02:00PM **9** Q. SO THAT WHERE THE -- WHERE ARE THE RING OSCILLATOR AND THE
 02:00PM **10** CPU LOCATED EXACTLY WITH RESPECT TO THE PROCESSOR?
 02:00PM **11** A. ON THE SAME CHIP.
 02:00PM **12** Q. NOW, I THINK -- ONE OF OUR JURORS ASKED A QUESTION ABOUT
 02:00PM **13** CLOCKING THE RING OSCILLATOR, OR THE SPEED OF THE RING
 02:00PM **14** OSCILLATOR IN THE CPU, SO I WANT TO JUST MAKE SURE THAT WE'VE
 02:00PM **15** GOT THIS PERFECTLY CLEAR.
 02:00PM **16** IF THE RING OSCILLATOR HAS A SPEED OF 80 MEGAHERTZ, IF
 02:00PM **17** THAT'S ITS CLOCK RATE, WHAT WOULD BE THE PROCESSING FREQUENCY
 02:01PM **18** OF THE CPU?
 02:01PM **19** A. IT WOULD BE -- AS YOU SEE, THEY ARE CONNECTED. IF YOU
 02:01PM **20** HAVE 80 MEGAHERTZ HERE, LIKE THAT GOES HERE AND YOU HAVE 80
 02:01PM **21** MEGAHERTZ IN THE CPU, SO CPU IS OPERATING AT 80 MEGAHERTZ
 02:01PM **22** (INDICATING).
 02:01PM **23** SO IT'S EXACTLY THE SAME.
 02:01PM **24** Q. AND WHAT WOULD HAPPEN IF YOU CHANGED THE CLOCK RATE OF THE
 02:01PM **25** RING OSCILLATOR TO 100 MEGAHERTZ?

UNITED STATES COURT REPORTERS

02:01PM **1** A. THEN THIS IS WIGGLING AT 100 (INDICATING), GOES IN AT 100,
 02:01PM **2** AND THE CPU IS OPERATING AT 100 MEGAHERTZ.
 02:01PM **3** Q. SO THAT WOULD BE -- THE CPU PROCESSING FREQUENCY WOULD BE
 02:01PM **4** 100 MEGAHERTZ.
 02:01PM **5** SO THE SAME TRUE AT 2 GIGAHERTZ? IF WE GO REALLY FAST AT
 02:01PM **6** 2 GIGAHERTZ FROM THE CLOCK OSCILLATOR --
 02:01PM **7** A. IT IS TRUE AT ANY FREQUENCY. WHY? BECAUSE THEY'RE
 02:01PM **8** CONNECTED.
 02:01PM **9** THIS IS JUST LIKE IF I GRAB MR. MARSH'S HAND AND I START
 02:01PM **10** SHAKING IT AT LIKE 2 HERTZ FREQUENCY, HE'S SHAKING AT TWO HERTZ
 02:02PM **11** (INDICATING).
 02:02PM **12** IF I GO AT TEN HERTZ, HE'S SHAKING AT TEN HERTZ
 02:02PM **13** (INDICATING). THOSE TWO ARE CONNECTED.
 02:02PM **14** SO IF THIS ONE IS PRODUCING THE OUTPUT OF THE OSCILLATOR
 02:02PM **15** AT 2 GIGAHERTZ, IT IS DISTRIBUTED AT 2 GIGAHERTZ.
 02:02PM **16** Q. NOW, IF, IN PRACTICE, I WANTED TO CHANGE THE FREQUENCY
 02:02PM **17** THAT THE RING OSCILLATOR IS OPERATING AT, WHAT COULD I DO? HOW
 02:02PM **18** WOULD THAT BE IMPLEMENTED IN A MODERN MICROPROCESSOR?
 02:02PM **19** A. OKAY. WHAT I CAN DO IS I CAN LOOK AT -- I CAN CHANGE THE
 02:02PM **20** RING OSCILLATOR. SO IF I RAISE THE VOLTAGE OF THE RING
 02:02PM **21** OSCILLATOR OR LOWER THE VOLTAGE -- OR IF I FIND A SPOT, LET'S
 02:02PM **22** SAY I LIMIT THE CURRENT THAT GOES THROUGH THIS RING OSCILLATOR,
 02:02PM **23** OR WHAT IS KNOWN, THE TERM IS LIKE CURRENT STARVING, OKAY, IF I
 02:02PM **24** STARVE IT FROM CURRENT, IT'LL SLOW DOWN.
 02:02PM **25** SO IF I DON'T ALLOW THEM TO DRAW AS MUCH CURRENT AS THEY

UNITED STATES COURT REPORTERS

02:03PM **1** WANT, THEY WILL SLOW DOWN. **SO I CAN DO THAT CURRENT STARVING**

02:03PM **2** **BY VOLTAGE OR BY CURRENT, BASICALLY, SO I CAN ADJUST THE**

02:03PM **3** **FREQUENCY.**

02:03PM **4** **SO LET'S SAY IT'S DESIGNED TO BE 2 GIGAHERTZ. YOU SAY**

02:03PM **5** **THAT'S TOO FAST, I DON'T WANT IT 2. WELL, I WILL STARVE IT**

02:03PM **6** **UNTIL IT IS 1 GIGAHERTZ.**

02:03PM **7** **Q. AND IS THERE ANY ELECTRONIC CIRCUITRY THAT YOU COULD USE**

02:03PM **8** **TO CHANGE THE VOLTAGE OF THE RING OSCILLATOR, TO CHANGE ITS**

02:03PM **9** **SPEED?**

02:03PM **10** **A. THAT IS WHAT PLL DOES. AND I THINK WE'LL EXPLAIN IT**

02:03PM **11** **LATER.**

02:03PM **12** **Q. WELL, LET'S TAKE A LOOK AT THE NEXT SLIDE, DDX-120. WHAT**

02:03PM **13** **ARE THESE COMPONENTS?**

02:03PM **14** **A. THOSE ARE THE COMPONENTS OF THE PLL, AND I WILL EXPLAIN**

02:03PM **15** **HOW PLL DOES IT.**

02:03PM **16** **THE OUTPUT OF THIS FILTER IS ACTUALLY THAT VOLTAGE OF**

02:03PM **17** **CURRENT WHICH WILL START IT AND MAKE IT RUN AT THE FREQUENCY**

02:03PM **18** **THAT THIS CURRENT DETERMINES (INDICATING).**

02:04PM **19** **SO WHATEVER COMES OUT OF HERE, THE VOLTAGE OR CURRENT, THE**

02:04PM **20** **CURRENT STARTS THE RING OSCILLATOR, WILL MAKE IT RUN FASTER OR**

02:04PM **21** **SLOWER (INDICATING).**

02:04PM **22** **AND REMEMBER, THIS IS A VOLTAGE THAT COMES OUT HERE**

02:04PM **23** **(INDICATING). THIS IS NOT THE CLOCK. THIS IS THE VOLTAGE THAT**

02:04PM **24** **CONTROLS THIS. OKAY?**

02:04PM **25** **Q. SO I SEE YOU'RE STILL USING, IN THIS SLIDE, DDX-121, YOU**

UNITED STATES COURT REPORTERS

02:05PM **1** RANGE, BUT LET'S SAY WE DIVIDE IT BY 100 JUST FOR SIMPLICITY.

02:06PM **2** AND SO I GET 10 MEGAHERTZ HERE. THIS 10 MEGAHERTZ I WILL

02:06PM **3** COMPARE WITH SOME REFERENCE SIGNAL. AND WHY ARE WE DOING THAT

02:06PM **4** IS BECAUSE WHAT I'M BUILDING HERE IS LIKE A CRUISE CONTROL FOR

02:06PM **5** THAT FERRARI, SO I AM COMPARING THE SPEED AT WHICH FERRARI IS

02:06PM **6** RUNNING TO SOME VALUE FOR WHICH I WANT TO SET IT TO RUN, OKAY?

02:06PM **7** AND LET'S SAY THIS VALUE IS MUCH LOWER, SO I CAN TO DIVIDE

02:06PM **8** IT IN THIS CASE, BUT I DON'T HAVE TO.

02:06PM **9** SO I HAVE TO PUT SOME REFERENCE. LET'S SAY IF I SAY,

02:06PM **10** OKAY, I WANT TO -- I WANT TO SET THAT CAR TO RUN AT 65, I HAVE

02:06PM **11** TO HAVE SOMETHING THAT POINTS TO 65 SO WHEN THE SPEEDOMETER

02:06PM **12** COMES TO 65, I WILL LOCK INTO 65. SO I HAVE TO HAVE REFERENCE.

02:07PM **13** I CANNOT JUST SAY, "OKAY, RUN AT 65." IT DOESN'T KNOW.

02:07PM **14** **Q. SO I THINK WE HEARD -- WE'VE HEARD DISCUSSION OF A**

02:07PM **15** **REFERENCE BEFORE. WE HEARD MENTION OF A METRONOME. WHAT DOES**

02:07PM **16** **A PLL USE FOR ITS REFERENCE?**

02:07PM **17** **A. A PLL USES EXTERNAL QUARTZ OSCILLATOR, NOT NECESSARILY,**

02:07PM **18** **OKAY?**

02:07PM **19** **WHAT PLL -- WHAT WE WANT TO USE HERE IS SOME STABLE**

02:07PM **20** **REFERENCE.**

02:07PM **21** **NOW, STABLE DEPENDS HOW STABLE YOU WANT IT TO BE.**

02:07PM **22** **Q. SO WHY DON'T WE TAKE A LOOK AT DDX-122 WHERE I THINK**

02:07PM **23** **YOU'VE ILLUSTRATED THE IDEA OF USING A REFERENCE HERE.**

02:07PM **24** **WHAT DOES THAT SLIDE SHOW US?**

02:07PM **25** **A. OKAY. SO THIS SLIDE SHOWS NOW WE HAVE CONNECTED THE**

UNITED STATES COURT REPORTERS

02:04PM **1** STILL HAVE A RING OSCILLATOR.

02:04PM **2** **A. YES.**

02:04PM **3** **Q. WHAT IS THE RING OSCILLATOR DOING IN THIS SLIDE?**

02:04PM **4** **A. THE RING OSCILLATOR IS HAPPILY CLOCKING THE CPU.**

02:04PM **5** **Q. OKAY.**

02:04PM **6** **A. OKAY. AND SO I HAVE A FREQUENCY DIVIDER.**

02:04PM **7** **AS MR. HAROUN FROM TI EXPLAINED, THE FREQUENCY CAN BE**

02:04PM **8** **DIVIDED.**

02:04PM **9** **AND HE ALSO SAID AT ONE POINT IT CANNOT BE MULTIPLIED,**

02:04PM **10** **WHICH I AGREE. THE CIRCUIT THAT MULTIPLIES THE FREQUENCY IS**

02:04PM **11** **YET TO BE INVENTED, OKAY?**

02:04PM **12** **WE CAN DIVIDE IT. HOW DO WE DIVIDE IT? WE PASS IT**

02:05PM **13** **THROUGH A DEVICE THAT WOULD JUST CHANGE EVERY OTHER CLOCK, AND**

02:05PM **14** **THEN WE PASS THAT THROUGH A DEVICE THAT CHANGES EVERY OTHER**

02:05PM **15** **CLOCK AND SO FORTH. SO WE DIVIDE BY 2 OR DIVIDE IT BY 4 AND WE**

02:05PM **16** **CAN DIVIDE IT FURTHER.**

02:05PM **17** **SO THAT SIGNAL GOES TO THE FREQUENCY DIVIDER HERE**

02:05PM **18** **(INDICATING).**

02:05PM **19** **WE MAY CHOSE NOT TO HAVE A DIVIDER. IF WE WANT TO KIND OF**

02:05PM **20** **REGENERATE THE CLOCK, WE HAVE A SIGNAL THAT COULD COME IN HERE**

02:05PM **21** **AND PHASE DETECTOR.**

02:05PM **22** **THE PHASE DETECTOR COMPARES THIS DIVIDED SIGNAL. SO LET'S**

02:05PM **23** **SAY THIS IS RUNNING AT 1 GIGAHERTZ AND WE DIVIDE IT 100 TIMES.**

02:05PM **24** **USUALLY THE NUMBER IS A BINARY NUMBER, SO IT'LL BE 64, 128,**

02:05PM **25** **256, 512, 1024, ONE OF THOSE. NOT -- 100 DOESN'T FIT IN THAT**

UNITED STATES COURT REPORTERS

02:07PM **1** **POINTS, AND LET'S START FROM HERE.**

02:07PM **2** I HAVE THIS ONE RUNNING AT 10 -- AT 1 GIGAHERTZ, DIVIDED

02:07PM **3** BY 100, FOR EXAMPLE, BECAUSE THIS REFERENCE IS 10 MEGAHERTZ

02:08PM **4** (INDICATING).

02:08PM **5** BASICALLY WHAT I WANT IS THIS FREQUENCY AND THIS TO BE THE

02:08PM **6** SAME (INDICATING). WHY? BECAUSE I AM COMPARING THEM.

02:08PM **7** WELL, IF I AM DIVIDING THIS BY 100, I HAVE TO RUN THIS 100

02:08PM **8** TIMES FASTER, OKAY, THAN THE REFERENCE. AND WHY? BECAUSE I

02:08PM **9** DON'T HAVE A FASTER REFERENCE THAN 10 MEGAHERTZ FOR EXAMPLE IN

02:08PM **10** THIS CASE. THAT'S THE FASTEST I CAN HAVE.

02:08PM **11** IF I HAVE A STABLE REFERENCE AT 1 GIGAHERTZ, I WOULD NOT

02:08PM **12** DIVIDE AT ALL. I WOULD JUST FEED IN, COMPARE WITH THAT ONE,

02:08PM **13** AND, AND USE THAT 1 GIGAHERTZ REFERENCE TO RUN THE RING

02:08PM **14** OSCILLATOR AT 1 GIGAHERTZ.

02:08PM **15** AND WE DO THAT OFTEN WHEN WE DO, LIKE, REGENERATION OF THE

02:08PM **16** CLOCK. WHEN I HAVE A MULTIPLE PROCESSOR, MULTIPLE CHIPS AS

02:08PM **17** MR. MOORE SAID, AND I DISTRIBUTE THE CLOCK, I WANT TO

02:08PM **18** RESYNCHRONIZE SO EVERY CHIP IS THE SAME.

02:09PM **19** **Q. SO I FORGOT TO ASK YOU SOMETHING ON THE PREVIOUS SLIDE**

02:09PM **20** **THAT I WANT TO ASK YOU ABOUT, WHICH IS I SEE SEVERAL COMPONENTS**

02:09PM **21** **HERE, AND I -- THERE ARE NO CONNECTIONS ON DDX-121.**

02:09PM **22** **DO ALL OF THOSE COMPONENTS RECEIVE POWER?**

02:09PM **23** **A. YES.**

02:09PM **24** **Q. DESPITE -- DESPITE NOT SEEING ANY CONNECTIONS ON HERE?**

02:09PM **25** **A. YES. EVERYTHING RECEIVES POWER AND WE DON'T PUT THAT ON**

UNITED STATES COURT REPORTERS

EXHIBIT 4

(BEING FILED UNDER SEAL)

EXHIBIT 5

(BEING FILED UNDER SEAL)

EXHIBIT 6

(BEING FILED UNDER SEAL)

EXHIBIT 7

(BEING FILED UNDER SEAL)

EXHIBIT 8

(BEING FILED UNDER SEAL)

EXHIBIT 9

TI Internal Data — Signed NDA Required for Distribution

OMAP730

Technical Reference Manual

Literature Number: SWPU063B
September 2003



Clock Generation

5.2 Clock Generation

The clock domains in the OMAP730 hardware engine platform are synthesized by the digital phase-locked loops (DPLL). The DPLL input clock source (CK_REF) is externally supplied from the CLKIN pin.

The DPLL1 output frequencies are programmable and can be further divided down to provide clocks to the MPU, the modem part connection, and the TC domains. The MPU domain, the modem part connection domain, and the TC domain are clocked from DPLL1.


This implementation offers the clock rate selection flexibility to adjust the clock frequency of each clock domain and allows the OMAP730 hardware engine to adjust each clock domain to its optimal frequency. In addition, each domain is further subdivided into subdomains so that each subdomain can be independently activated/deactivated while the remaining part of the clock network is in an idle state. This clocking mode provides a scalable power-saving mechanism for general-purpose and power-hungry applications.

The OMAP clock system is organized around three main clock domains: MPU, modem part connection, and TC.

- ☐ The MPU clock domain contains:
 - MPU
 - MPU external peripheral clocks
 - MPU watchdog timer
 - MPU internal timers
 - MPU interrupt handler
- ☐ The modem part connection clock domain contains the DSP MMU.
- ☐ The TC clock domain contains:
 - TC
 - L3 OCP-I
 - MPUI port interface
 - System DMA controller
 - MPU TIPB bridges
 - LCD controller
 - OCP-T1 and OCP-T2

Figure 5–1 shows the clock generator module.

Figure 5–1. Clock Generator Module



Clock Generation

When mixed mode 4 is selected, you must program the divide-down bits of the ARM_CKCTL register so that $DSPDIV = DSPMMUDIV = TCDIV$. Because ARM_CK supplies the host processor and TC_CK supplies different memory interfaces, the restriction on the speed of TC_CK ensures that the rate of instruction/data fetch is never more than the rate at which data can be processed.

The TC clock frequency must be the same speed or slower than the MPU clock frequency.

5.2.1.4 Bypass Mode

In bypass mode (CLOCK_SELECT = 101), the DPLL is bypassed and the input reference clock is directly fed to the MPU, DSP, and TC clock domains.

5.2.2 DPLL

The DPLL block synthesizes a frequency clock from the fixed reference input clock signal CK_REF using the digital phase-locked loop mechanism. Only the MPU can access the DPLL control register.

5.2.2.1 DPLL Modes

The DPLL can operate either in bypass mode or lock mode:

☐ Bypass mode

In bypass mode (PLL_ENABLE bit of the DPLL1_CTL_REG register set to 0), the DPLL output clock can be CK_REF (input reference clock), CK_REF/2, or CK_REF/4, depending on the BYPASS_DIV bit-field value of the DPLL1_CTL_REG register.

☐ Lock mode

In lock mode (PLL_ENABLE bit of DPLL1_CTL_REG register set to 1), the output frequency is an integer multiple or fractional multiple (m/n , respectively, in the PLL_MULT and PLL_DIV bit fields of DPLL1_CTL_REG) of the input reference clock CK_REF. With $1 \leq m \leq 31$ and $1 \leq n \leq 4$, the frequency output ranges from CK_REF/4 to $31 \times CK_REF$.

5.2.2.2 Synthesizing a Clock

At reset, the DPLL is in bypass mode and the BYPASS_DIV bit field of DPLL1_CTL_REG is set to 0b00 (DPLL output clock = CK_REF).

The procedure to synthesize a clock at a desired frequency is:

- 1) Set the PLL_MULT and PLL_DIV bit fields of DPLL1_CTL_REG to the correct value to get the desired multiplication factor.
- 2) Set the PLL_ENABLE bit to 1 to enter the lock mode.
- 3) When the DPLL reaches the desired synthesized clock frequency, the bit LOCK bit of DPLL1_CTL_REG register goes to 1 and the output clock gets the synthesized clock.

EXHIBIT 10

SC32442A43

USER'S MANUAL

Revision 1.1



FUNCTIONAL DESCRIPTION

CLOCK ARCHITECTURE

Figure 7-1 shows a block diagram of the clock architecture. The main clock source comes from an external crystal (XTipll) or an external clock (EXTCLK). The clock generator includes an oscillator (Oscillation Amplifier), which is connected to an external crystal, and also has two PLLs (Phase-Locked-Loop), which generate the high frequency clock required in the SC32442A.

CLOCK SOURCE SELECTION

Table 7-1 shows the relationship between the combination of mode control pins (OM3 and OM2) and the selection of source clock for the SC32442A. The OM[3:2] status is latched internally by referring the OM3 and OM2 pins at the rising edge of nRESET.

Table 7-1. Clock Source Selection at Boot-Up

| Mode OM[3:2] | MPLL State | UPLL State | Main Clock source | USB Clock Source |
|--------------|------------|------------|-------------------|------------------|
| 00 | On | On | Crystal | Crystal |
| 01 | On | On | Crystal | EXTCLK |
| 10 | On | On | EXTCLK | Crystal |
| 11 | On | On | EXTCLK | EXTCLK |

NOTE

- Although the MPLL starts just after a reset, the MPLL output (Mpll) is not used as the system clock until the software writes valid settings to the MPLLCON register. Before this valid setting, the clock from external crystal or EXTCLK source will be used as the system clock directly. Even if the user does not want to change the default value of MPLLCON register, the user should write the same value into MPLLCON register.
- OM[3:2] is used to determine a test mode when OM[1:0] is 11.

SC32442A RISC MICROPROCESSOR

CLOCK & POWER MANAGEMENT




Figure 7-1. Clock Generator Block Diagram

PHASE LOCKED LOOP (PLL)

The MPLL within the clock generator, as a circuit, synchronizes an output signal with a reference input signal in frequency and phase. In this application, it includes the following basic blocks as shown in Figure 7-2: the Voltage Controlled Oscillator (VCO) to generate the output frequency proportional to input DC voltage, the divider P to divide the input frequency (F_{in}) by p, the divider M to divide the VCO output frequency by m which is input to Phase Frequency Detector (PFD), the divider S to divide the VCO output frequency by "s" which is Mpll (the output frequency from MPLL block), the phase difference detector, the charge pump, and the loop filter. The output clock frequency Mpll is related to the reference input clock frequency F_{in} by the following equation:

$$M_{pll} = (2^m * F_{in}) / (p * 2^5)$$

$$m = M \text{ (the value for divider M)} + 8, p = P \text{ (the value for divider P)} + 2$$

The UPLL within the clock generator is similar to the MPLL in every aspect.

The following sections describes the operation of the PLL, including the phase difference detector, the charge pump, the Voltage controlled oscillator (VCO), and the loop filter.

Phase Frequency Detector (PFD)

The PFD monitors the phase difference between F_{ref} and F_{vco} , and generates a control signal (tracking signal) when the difference is detected. The F_{ref} means the reference frequency as shown in the Figure 7-2.

Charge Pump (PUMP)

The charge pump converts PFD control signals into a proportional change in voltage across the external filter that drives the VCO.

Loop Filter

The control signal, which the PFD generates for the charge pump, may generate large excursions (ripples) each time the F_{vco} is compared to the F_{ref} . To avoid overloading the VCO, a low pass filter samples and filters the high-frequency components out of the control signal. The filter is typically a single-pole RC filter with a resistor and a capacitor.

Voltage Controlled Oscillator (VCO)

The output voltage from the loop filter drives the VCO, causing its oscillation frequency to increase or decrease linearly as a function of variations in average voltage. When the F_{vco} matches F_{ref} in terms of frequency as well as phase, the PFD stops sending control signals to the charge pump, which in turn stabilizes the input voltage to the loop filter. The VCO frequency then remains constant, and the PLL remains fixed onto the system clock.

Usual Conditions for PLL & Clock Generator

PLL & Clock Generator generally uses the following conditions.

| | | |
|-------------------------------------|-----------|--------------------------|
| Loop filter capacitance | C_{LF} | MPLLAP, 820 pF \pm 5% |
| | | UPLLAP, 1500 pF \pm 5% |
| External X-tal frequency | - | 12 – 20 MHz (note) |
| External capacitance used for X-tal | C_{EXT} | 15 – 22 pF |

NOTES:

1. The value could be changed.
2. $F_{CLK_{OUT}}$ must be bigger than 200MHz(It does not mean that the ARM core has to run more than 200MHz).

EXHIBIT 11

(BEING FILED UNDER SEAL)

EXHIBIT 12

TI Internal Data — Signed NDA Required for Distribution

OMAP850

(A Member of Texas Instruments OMAP™ Family of Products)

Technical Reference Manual

Literature Number: SWPU080A
November 2005



Printed on Recycled Paper

*OMAP850/OMAP730 Comparison Overview***D.1 OMAP850/OMAP730 Comparison Overview**

The Texas Instruments OMAP850 multimedia processor is a close derivative of OMAP730 processor. OMAP850 is an improved platform for key performance aspects. OMAP850 also receives some changes in MPU-S peripheral features list and pin multiplex. High compatibility level is preserved in both hardware and software, as follows:

- ☐ Package
 - Identical package: 289 ball grid array (BGA)
 - Identical package pins location: pins name and pins assignment to balls
 - Compatible pin multiplex (with few exceptions, see removed features below)
 - Pin multiplex additions for new features and enhanced support
- ☐ GSM-S features
 - GPRS-EDGE feature
 - Binary software compatibility
- ☐ MPU-S enhanced features
 - Optimized internal SRAM interface for higher frame-buffer performances
 - Optimized OMAP3.2 core for DDR and DMA performances
 - 8-bit parallel camera interface data throughput improvement (same camera features; camera support moved from MPU-S peripheral bus to MPU-S TC OCP-T interface) for bigger sensor/higher frame-rate
- ☐ MPU-S removed features
 - CompactFlash interface not supported anymore
 - GPIO_72/73/74 removed from pin multiplex on DQSH/DQSL/SDCLKX DDR pins (DDR timings constraints)
- ☐ MPU-S new features
 - Addition of DDR memory support to SDRAM. See application note WMN_030_1 which describes how to use OMAP750/850/16xx Delay Locked Loop (DLL) and Digitally Controlled Delay Lines (DCDL) to access reliably Dual Data Rate (DDR) SDRAM.
 - Addition of external DMA request line support for EMIFS components
 - Addition of SHPLCD for glueless interfacing of LCD controller to Sharp TFT-LCD panels
 - Addition of traffic controller burst doubler feature in OMAP3.2 core for ARM926EJS cache-fill performance improvement

EXHIBIT 13

(BEING FILED UNDER SEAL)

EXHIBIT 14

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF CALIFORNIA
SAN JOSE DIVISION

HTC CORPORATION AND HTC AMERICA, INC.,
PLAINTIFF,
VS.
TECHNOLOGY PROPERTIES LIMITED,
PATRIOT SCIENTIFIC CORPORATION
AND ALLIACENSE LIMITED,
DEFENDANT.

C-08-00882 PSG
SAN JOSE, CALIFORNIA
SEPTEMBER 24, 2013
VOLUME 2
PAGES 227-409

TRANSCRIPT OF PROCEEDINGS
BEFORE THE HONORABLE PAUL S. GREWAL
UNITED STATES MAGISTRATE JUDGE

A P P E A R A N C E S :

FOR THE PLAINTIFF: COOLEY LLP
BY: HEIDI KEEFE
RON LEMIEUX
3175 HANOVER STREET
PALO ALTO, CALIFORNIA 94304

APPEARANCES CONTINUED ON NEXT PAGE

OFFICIAL COURT REPORTER: LEE-ANNE SHORTRIDGE, CSR, CRR
CERTIFICATE NUMBER 9595

PROCEEDINGS RECORDED BY MECHANICAL STENOGRAPHY
TRANSCRIPT PRODUCED WITH COMPUTER

UNITED STATES COURT REPORTERS

INDEX OF WITNESSES

DEFENDANTS'

CHARLES MOORE
DIRECT EXAM BY MR. OTTESON (RES.) P. 249
CROSS-EXAM BY MS. KEEFE P. 295
REDIRECT EXAM BY MR. OTTESON P. 322

BAHER HAROUN
DIRECT EXAM BY MR. CARMACK P. 333
CROSS-EXAM BY MR. SMITH P. 354
REDIRECT EXAM BY MR. CARMACK P. 370

DANIEL LECKRONE
DIRECT EXAM BY MR. OTTESON P. 376

INDEX OF EXHIBITS

MARKED ADMITTED

DEFENDANTS'

| | |
|------|-----|
| 440 | 374 |
| 441 | 374 |
| 1431 | 374 |
| 556 | 376 |

PLAINTIFF'S

| | | |
|-------|-----|-----|
| PDX-3 | 368 | 369 |
| PDX-4 | 369 | 369 |

UNITED STATES COURT REPORTERS

APPEARANCES (CONTINUED)

FOR THE PLAINTIFF: COOLEY LLP
BY: STEPHEN R. SMITH
1299 PENNSYLVANIA AVENUE, NW
SUITE 700
WASHINGTON, D.C. 20004
BY: MATTHEW J. LEARY
380 INTERLOCKEN CRESCENT, SUITE 900
BROOMFIELD, COLORADO 80021

ALSO PRESENT: VINCENT LAM

FOR DEFENDANTS TPL AND ALLIACENSE: AGILITY IP LAW
BY: JAMES C. OTTESON
IRVIN E. TYAN
THOMAS T. CARMACK
VINCENT K. YEE
DAVID LANSKY
149 COMMONWEALTH DRIVE
MENLO PARK, CALIFORNIA 94025

FOR DEFENDANT PATRIOT: KIRBY, NOONAN, LANCE & HOGE
CHARLES T. HOGE
35 TENTH AVENUE
SAN DIEGO, CALIFORNIA 92101

ALSO PRESENT: CHARLES MOORE
CLIFFORD FLOWERS
DAN LECKRONE
MACK LECKRONE

UNITED STATES COURT REPORTERS

SAN JOSE, CALIFORNIA SEPTEMBER 24, 2013

09:12AM **2** P R O C E E D I N G S

09:12AM **3** (JURY OUT AT 9:12 A.M.)

09:12AM **4** THE COURT: ALL RIGHT, COUNSEL. I UNDERSTAND THAT

09:12AM **5** THERE WERE AT LEAST THREE MOTIONS FILED ON THE DOCKET SINCE WE

09:12AM **6** LAST SPOKE. LET'S BEGIN WITH NUMBER 619, WHICH DEALS WITH

09:12AM **7** MR. MOORE'S TESTIMONY REGARDING THE GREENARRAYS.

09:12AM **8** IT'S YOUR MOTION, MS. KEEFE, GO AHEAD.

09:13AM **9** MS. KEEFE: VERY SIMPLY, YOUR HONOR, WHAT WE DID

09:13AM **10** AFTER -- AT THE SIDE-BAR, YOUR HONOR SAID THAT IF WE COULD FIND

09:13AM **11** THAT THERE HAD BEEN DISCOVERY REQUESTS THAT WOULD HAVE CALLED

09:13AM **12** FOR THE GREENARRAY TO HAVE BEEN DISCLOSED AS AN INFRINGING

09:13AM **13** DEVICE, THAT I SHOULD --

09:13AM **14** THE COURT: YOU'RE TALKING SPECIFICALLY ABOUT THE 144

09:13AM **15** PRODUCT?

09:13AM **16** MS. KEEFE: THAT'S CORRECT, YOUR HONOR. THAT'S

09:13AM **17** CORRECT, THE ONE THAT WAS MENTIONED BY MR. MOORE IN TESTIMONY.

09:13AM **18** AND POTENTIALLY MORE PROBLEMATIC WAS NOT THAT MR. MOORE

09:13AM **19** WAS TALKING GENERICALLY ABOUT WHAT HE'S WORKING ON, BUT WHEN

09:13AM **20** ASKED WHY IT WAS RELEVANT, OPPOSING COUNSEL SAID THAT IT

09:13AM **21** PRACTICING THE INVENTION, WHICH OF COURSE IS SOMETHING THAT

09:13AM **22** GAVE US A LITTLE BIT OF HEARTBURN.

09:13AM **23** SO WHAT WE WENT BACK AND DID WAS FOUND DISCOVERY REQUESTS

09:13AM **24** THAT WOULD HAVE CALLED FOR IT, WE LAID THEM OUT FOR YOUR HONOR

09:13AM **25** AND SHOWED THAT.

UNITED STATES COURT REPORTERS

01:22PM **1** COULD BE PART OF THAT, SIR.

01:22PM **2** Q. NOW, THE RING OSCILLATORS IN THE TEXAS INSTRUMENT CHIPS,

01:22PM **3** THE OMAP CHIPS WE'RE TALKING ABOUT HERE, ARE THEY -- ARE THEY

01:22PM **4** ICO'S, CURRENT CONTROL OSCILLATORS, OR VCO'S, VOLTAGE CONTROL

01:22PM **5** OSCILLATORS?

01:22PM **6** A. I DON'T KNOW THAT FOR A FACT, BUT MY UNDERSTANDING IS THEY

01:22PM **7** WERE USING DIGITAL CONTROL DELAY LINES. THAT'S THE TERMINOLOGY

01:22PM **8** THAT WAS USED INTERNALLY AT THE TIME.

01:22PM **9** WHEN I START THE NEW GENERATION, WE WENT AWAY FROM THAT

01:22PM **10** INTO WHAT IS REFERRED TO HERE IN THE PAPER OF CURRENT CONTROL

01:22PM **11** OR VOLTAGE CONTROL. WE CURRENTLY USE CURRENT CONTROL.

01:22PM **12** Q. BUT ONE OF THE INPUTS TO THE RING OSCILLATOR IS EITHER A

01:22PM **13** CURRENT OR VOLTAGE OR MAYBE MULTIPLE CURRENTS AND VOLTAGES?

01:22PM **14** A. THAT'S CORRECT.

01:22PM **15** Q. THE AMOUNT OF CURRENT OR VOLTAGE THAT IS INPUT INTO AN

01:22PM **16** OSCILLATOR IS WHAT DETERMINES THE FREQUENCY THAT IS OUTPUT FROM

01:23PM **17** THE OSCILLATOR; CORRECT?

01:23PM **18** A. THAT IS CORRECT. IF IT IS DIGITALLY CONTROLLED, IT'S THE

01:23PM **19** VALUE OF THE DIGITAL BITS THAT ARE CONTROLLED.

01:23PM **20** SO IF THERE'S A DIGITAL INPUT, THERE ARE MULTIPLE LINES

01:23PM **21** AND EACH ONE OF THEM WOULD HAVE A CERTAIN VALUE, A 0 OR A 1,

01:23PM **22** AND DEPENDING ON THAT CONFIGURATION, THE SPEED OF THE DELAY

01:23PM **23** LINE IN THE OSCILLATOR, WHICH IS THE SEQUENCE OF STAGES, WOULD

01:23PM **24** CHANGE. IT WOULD SLOW DOWN OR SPEED UP.

01:23PM **25** Q. OKAY. LET'S HAVE THE DIAGRAM OF THE PLL UP AGAIN. LET ME

UNITED STATES COURT REPORTERS

01:23PM **1** JUST ASK YOU --

01:23PM **2** IF I MAY APPROACH?

01:23PM **3** THE COURT: YOU MAY.

01:23PM **4** MR. CARMACK: THANK YOU.

01:23PM **5** Q. WE HAVE THIS ARROW OVER HERE THAT IS BASICALLY IN THIS

01:23PM **6** FIGURE NOT POINTING TO ANYTHING, BUT WE CAN ASSUME THAT THIS IS

01:23PM **7** POINTING TO SOME SORT OF INTERNAL, EXTERNAL REFERENCE CLOCK;

01:23PM **8** CORRECT?

01:23PM **9** A. THAT'S CORRECT.

01:23PM **10** Q. SO LIKE A CRYSTAL OSCILLATOR?

01:23PM **11** A. YES.

01:23PM **12** Q. SO THE TYPE OF SIGNAL THAT WOULD BE COMING IN HERE TO THE

01:23PM **13** PHASE DETECTOR IS AN UP AND DOWN CLOCK SIGNAL?

01:23PM **14** A. THAT'S CORRECT. IT'S A VARYING SIGNAL, YES.

01:23PM **15** Q. OKAY. THE PURPOSE OF THE PHASE DETECTOR IS TO COMPARE THE

01:24PM **16** CLOCK SIGNAL FROM THE RING OSCILLATOR AND ITS EXTERNAL CLOCK

01:24PM **17** SIGNAL TO SEE IF THEY'RE IN PHASE; RIGHT?

01:24PM **18** A. IT COMPARES SPECIFICALLY WHAT YOU CALL THE EDGES OF THE

01:24PM **19** SIGNAL, YOU HAVE VERY FAST EDGES AND IT PICKS ONE STYLE TO SAY

01:24PM **20** THE RISING ON BOTH CLOCKS AND IT COMPARES THE RELATIVE TIME

01:24PM **21** BETWEEN THEM.

01:24PM **22** Q. OKAY. SO THE INPUTS TO THE PHASE DETECTOR ARE UP AND DOWN

01:24PM **23** CLOCK SIGNALS?

01:24PM **24** A. THAT'S CORRECT.

01:24PM **25** Q. NOW, THE OUTPUT OF THE PHASE DETECTOR IS ACTUALLY NOT A

UNITED STATES COURT REPORTERS

01:24PM **1** CLOCK SIGNAL; CORRECT?

01:24PM **2** A. IN -- IF YOU'RE TALKING ABOUT AN ANALOG PLL, IT IS NOT A

01:24PM **3** CLOCK SIGNAL, BUT IT LOOKS LIKE A CLOCK. IT'S A TIME PERIOD.

01:24PM **4** IT'S A DISTANCE OF TIME BETWEEN THE TWO EDGES. THAT'S WHAT THE

01:24PM **5** PHASE DETECTOR USES, SOMETHING PROPORTIONAL TO THE DELAY

01:24PM **6** BETWEEN ONE OF THE EDGES RELATIVE TO THE OTHER.

01:24PM **7** Q. OKAY. LET'S TALK ABOUT THE OUTPUT OF THE FILTER INTO THE

01:24PM **8** OSCILLATOR. THIS DOES NOT HAVE A FREQUENCY; CORRECT?

01:25PM **9** A. SO I WANT CLARIFICATION.

01:25PM **10** Q. YES.

01:25PM **11** A. ARE YOU TALKING THE ANALOG PLL OR DIGITAL PLL? BECAUSE

01:25PM **12** THE DIFFERENCE IS THE SIGNAL IS -- IN AN ANALOG IS A FINITE, IS

01:25PM **13** A CONTINUOUS, VERY SMALL UP AND DOWN, ANY VALUE CAN CONTAIN,

01:25PM **14** WHEREAS DIGITAL IS QUANTIFIED NUMBER THAT IS VERY SPECIFIC CODE

01:25PM **15** THAT COMES IN.

01:25PM **16** AND BOTH ARCHITECTURES EXIST. DIGITAL USES DIGITAL VALUES

01:25PM **17** TO CONTROL OSCILLATORS, WHERE ANALOG USES AN ANALOG CONTINUOUS

01:25PM **18** VALUES TO CONTROL THE OSCILLATOR.

01:25PM **19** SO IF YOU'RE TALKING ABOUT THE ANALOG PLL, WE CAN TALK

01:25PM **20** ABOUT THE ANALOG IN THAT CONTEXT.

01:25PM **21** Q. OKAY. IN AN ANALOG SITUATION LIKE THIS, THE OUTPUT OF THE

01:25PM **22** FILTER IS VERY SMOOTH VOLTAGE OR CURRENT?

01:25PM **23** A. YES, CORRECT.

01:25PM **24** Q. IN OTHER WORDS, THE RING OSCILLATOR DOES NOT RECEIVE ANY

01:25PM **25** CLOCK SIGNAL AS SUCH?

UNITED STATES COURT REPORTERS

01:25PM **1** A. NO, IT DOES NOT. IT RECEIVES A CONTROL PARAMETER.

01:25PM **2** Q. OKAY. SO IT RECEIVES -- WHEN YOU SAY "CONTROL PARAMETER,"

01:25PM **3** YOU MEAN A CURRENT OR A VOLTAGE?

01:25PM **4** A. THAT IS CORRECT.

01:25PM **5** Q. A SMOOTH CURRENT OR A VOLTAGE?

01:25PM **6** A. THAT IS CORRECT.

01:26PM **7** Q. SO THE RING OSCILLATOR TAKES IN A SMOOTH CURRENT OR

01:26PM **8** VOLTAGE AND WHAT IT OUTPUTS IS ON OSCILLATION, WHICH MEANS A

01:26PM **9** HIGH, LOW SQUARE WAVE; CORRECT?

01:26PM **10** A. THAT IS CORRECT.

01:26PM **11** Q. THAT'S -- THE REASON WE CALL IT AN OSCILLATOR IS BECAUSE

01:26PM **12** IT OSCILLATES BETWEEN HIGH AND LOW?

01:26PM **13** A. THAT IS CORRECT.

01:26PM **14** Q. NOW, ALL OF THE -- NOW, ALL OF THE OMAP CHIPS USE PLL'S

01:26PM **15** WITH -- THAT HAVE A REFERENCE SIGNAL FROM AN EXTERNAL CLOCK;

01:26PM **16** CORRECT?

01:26PM **17** A. THAT IS CORRECT.

01:26PM **18** Q. HAS TI EVER PRODUCED A, AN OMAP CHIP LIKE THESE THAT YOU

01:26PM **19** KNOW OF THAT USES AN EXTERNAL REFERENCE, LIKE A CRYSTAL,

01:26PM **20** WITHOUT USING A PLL BETWEEN THE CRYSTAL AND THE CPU?

01:26PM **21** A. IT IS POSSIBLE THAT WE'VE -- WE'VE DEVELOPED MANY SYSTEMS

01:26PM **22** THAT ONLY USE A CONSTANT CLOCK FROM OUTSIDE. IT IS VERY

01:26PM **23** POSSIBLE THAT THAT EXISTS, YES.

01:26PM **24** Q. WHEN YOU SAY "CONSTANT CLOCK," YOU MEAN -- DO YOU MEAN

01:26PM **25** THAT --

UNITED STATES COURT REPORTERS

01:26PM **1** A. AN EXTERNAL ONE, COMING FROM -- THE CONTROL IS OUTSIDE THE
 01:27PM **2** CHIP.
 01:27PM **3** Q. SO WITH AN EXTERNAL REFERENCE CLOCK, IF IT'S CRYSTAL, THE
 01:27PM **4** FREQUENCY WILL NEVER CHANGE; CORRECT?
 01:27PM **5** A. THE FREQUENCY OF THE EXTERNAL CRYSTAL WILL NEVER CHANGE.
 01:27PM **6** ON-CHIP, YOU CAN ALWAYS GO DOWN IN FREQUENCY BY PICKING
 01:27PM **7** EVERY SECOND EDGE OR EVERY THIRD EDGE.
 01:27PM **8** Q. IN OTHER WORDS, YOU CAN DIVIDE?
 01:27PM **9** A. YOU CAN DIVIDE, CORRECT.
 01:27PM **10** Q. BUT THE SIGNAL THAT COMES FROM A CRYSTAL OSCILLATOR
 01:27PM **11** OFF-CHIP IS WITH A WE CALL A FIXED FREQUENCY SIGNAL?
 01:27PM **12** A. YES.
 01:27PM **13** Q. OKAY. AND SO IF YOU USE AN EXTERNAL CRYSTAL TO DIRECT THE
 01:27PM **14** CLOCK TO THE CPU WITH NO PLL IN BETWEEN, YOU'RE GOING TO BE
 01:27PM **15** STUCK WITH THE SPEED OF THAT CRYSTAL, OR SOME DIVISION OF IT?
 01:27PM **16** A. THAT IS CORRECT.
 01:27PM **17** Q. SO -- BUT IN THE CASE OF THE OMAP CHIPS THAT WE'RE TALKING
 01:27PM **18** ABOUT NOW, THE CPU DOESN'T RUN AT THE SPEED OF THE EXTERNAL
 01:27PM **19** CRYSTAL; CORRECT?
 01:27PM **20** A. IT RUNS AT EITHER AN INTEGER MULTIPLE OR INTEGER DIVIDE.
 01:28PM **21** SOMETIMES COULD BE FRACTIONAL DEPENDING, YES.
 01:28PM **22** Q. WHAT IS THE TYPICAL SPEED OF AN EXTERNAL REFERENCE CRYSTAL
 01:28PM **23** IN THESE CHIPS?
 01:28PM **24** A. IN THE OMAP730 OR 850 GENERATION, THE TYPICAL CRYSTAL --
 01:28PM **25** THERE WAS TWO CRYSTALS TYPICALLY, ONE 113 MEGAHERTZ AND THE

UNITED STATES COURT REPORTERS

01:28PM **1** OTHER ONE IS 32 KILOHERTZ. THESE ARE TYPICAL VALUES IN CELL
 01:28PM **2** PHONES.
 01:28PM **3** Q. SO IF WE TOOK ONE OF THOSE OMAP PROCESSORS AND REDESIGNED
 01:28PM **4** IT SO IT DIDN'T HAVE THE PLL, ALL IT HAD WAS THE EXTERNAL
 01:28PM **5** CRYSTALS, THE FASTEST IT COULD RUN WOULD BE AT EITHER 32
 01:28PM **6** KILOHERTZ OR 13 MEGAHERTZ; RIGHT?
 01:28PM **7** A. THAT IS CORRECT. YOU NEED, YOU NEED A CLOCK MULTIPLIER TO
 01:28PM **8** BE ABLE TO GET TO HIGHER FREQUENCY.
 01:28PM **9** Q. SO THE PLL ALLOWS THESE OMAP CHIPS TO RUN MANY TIMES
 01:28PM **10** FASTER THAN AN EXTERNAL CRYSTAL?
 01:28PM **11** A. THAT IS CORRECT.
 01:28PM **12** Q. AND THE PORTION OF THE PLL THAT OUTPUTS THAT HIGHER
 01:29PM **13** FREQUENCY IS THE RING OSCILLATOR; CORRECT?
 01:29PM **14** A. AGREED. AS WE DISCUSSED, IN A DIGITAL PLL, THE OSCILLATOR
 01:29PM **15** COULD HAVE -- DOES NOT -- YOU COULD RECYCLE AN EDGE AND
 01:29PM **16** GENERATE MULTIPLES OF IT AND START/STOP.
 01:29PM **17** SO THERE ARE DIFFERENT STRUCTURES WHERE IT IS COMPLETELY
 01:29PM **18** CONTROLLED BY THE DIGITAL CODE WORD.
 01:29PM **19** SO AS I SAID, IF WE'RE TALKING ABOUT ANALOG PLL HERE, AND
 01:29PM **20** I'M TALKING ABOUT -- SO WHICH ONE ARE YOU TALKING ABOUT,
 01:29PM **21** DIGITAL PLL OR ANALOG PLL? BECAUSE THEY MAY SLIGHTLY DIFFERENT
 01:29PM **22** IN TERMS OF OSCILLATOR AND HOW THE CIRCUIT GENERATING EDGES
 01:29PM **23** WORKS.
 01:29PM **24** Q. I UNDERSTAND. LET ME ASK IS THIS WAY: WHETHER IT'S A
 01:29PM **25** DIGITAL OR AN ANALOG PLL, THERE'S NO OTHER PORTION OF THE PLL

UNITED STATES COURT REPORTERS

01:29PM **1** THAT OUTPUTS THE CLOCK SIGNAL OTHER THAN THE OSCILLATOR; RIGHT?
 01:29PM **2** A. THERE IS -- THE INPUT EDGE COMING FROM THE CRYSTAL
 01:29PM **3** TRIGGERS IN SOME FORMS OF PLL'S, I'M NOT SURE IF IT IS ON THE
 01:29PM **4** OMAP730, THE FIRST EDGE RUNS THROUGH AND IT BECOMES AN OUTPUT
 01:30PM **5** EDGE, AND THEN IT RECIRCULATES TO GENERATE N EDGES, TEN EDGES
 01:30PM **6** EXTRA, VERY FAST, YOU GET A SEQUENCE OF EDGES.
 01:30PM **7** AND THEN THE ELEVENTH ONE WOULD BE ACTUALLY A NEW ONE FROM
 01:30PM **8** THE CRYSTAL COMING IN FOLLOWED BY ANOTHER N EDGE.
 01:30PM **9** SO IN THIS CASE, THE SEQUENCE OF PULSES COMING OUT WOULD
 01:30PM **10** BE TRIGGERED BY THE REFERENCE CLOCK.
 01:30PM **11** THE COUNT WOULD BE CONTROLLED BY THE LOOP THAT TRIGGERED
 01:30PM **12** THAT N EDGES TO MAKE SURE IT'S EXACTLY TEN EDGES IN SPACE.
 01:30PM **13** SO THAT'S WHY I'M SAYING, COMPLETELY SAYING THE OSCILLATOR
 01:30PM **14** IS GENERATING, IT'S ACTUALLY -- IN SOME FORMS OF THESE
 01:30PM **15** OSCILLATOR, IT TAKES A FIRST EDGE TO RUN THROUGH THE DELAY LINE
 01:30PM **16** AND THEN IT COMES BACK AND IT'S STOPPED BY THE CONTROL LOCK
 01:30PM **17** BEFORE IT PROCEEDS AGAIN.
 01:30PM **18** SO YOU CAN CALL THAT A LOOP, BUT THE CONTROL SYSTEM IS IN
 01:30PM **19** FULL CONTROL OF HOW THE EDGES ARE FLOWING THROUGH.
 01:30PM **20** Q. OKAY.
 01:30PM **21** A. SO YOUR EXACT DESCRIPTION IS NOT -- YOU KNOW, THERE COULD
 01:30PM **22** BE VARIATIONS OF WHAT YOU DESCRIBED.
 01:30PM **23** Q. OKAY. LET ME CLARIFY IT THIS WAY: THERE'S NO OTHER
 01:30PM **24** PORTION IN THE PLL BESIDES THE RING OSCILLATOR THAT CAN CREATE
 01:30PM **25** A FREQUENCY THAT'S SO MUCH HIGHER THAN THE EXTERNAL CRYSTAL;

UNITED STATES COURT REPORTERS

01:31PM **1** CORRECT?
 01:31PM **2** A. THAT IS CORRECT. THAT IS WHERE IT'S -- WHERE THE EXTRA
 01:31PM **3** EDGES ARE GENERATED, YES.
 01:31PM **4** MR. CARMACK: THANK YOU.
 01:31PM **5** THE COURT: THANK YOU, MR. CARMACK.
 01:31PM **6** MR. SMITH.
 01:31PM **7** MR. SMITH: THANK YOU, YOUR HONOR.
 01:31PM **8** CROSS-EXAMINATION
 01:31PM **9** BY MR. SMITH:
 01:31PM **10** Q. GOOD AFTERNOON, DR. HAROUN.
 01:31PM **11** A. GOOD AFTERNOON.
 01:31PM **12** Q. YOU AND I HAVEN'T SPOKEN BEFORE, HAVE WE?
 01:31PM **13** A. NO, WE HAVE NOT.
 01:31PM **14** Q. I BELIEVE YOU SAID DURING COUNSEL'S EXAMINATION, I HAVE IT
 01:31PM **15** WRITTEN DOWN THERE, THAT YOU'RE THE SENIOR FELLOW AND ALSO THE
 01:31PM **16** DIRECTOR OF THE EMBEDDED SYSTEMS LABORATORIES AT TEXAS
 01:31PM **17** INSTRUMENTS.
 01:31PM **18** CAN YOU EXPLAIN A LITTLE BIT WHAT THAT MEANS, DR. HAROUN?
 01:31PM **19** A. OKAY. SO SENIOR FELLOW IS AN ELECTED TITLE INSIDE
 01:31PM **20** TEXAS INSTRUMENTS. WE HAVE A MANAGEMENT CHAIN AND WE HAVE WHAT
 01:31PM **21** WE CALL A TECHNICAL LADDER CHAIN, TECHNICAL -- YOU GET PROMOTED
 01:31PM **22** BY BEING ELECTED BY YOUR PEERS AND THE PEOPLE THAT ALREADY HAVE
 01:31PM **23** BEEN ELECTED BEFORE YOU BECAUSE OF YOUR TECHNICAL CONTRIBUTIONS
 01:32PM **24** TO THE COMPANY.
 01:32PM **25** SO I HAVE BEEN ELECTED TO BE A MEMBER OF THE TECHNICAL

UNITED STATES COURT REPORTERS

01:32PM **1** LADDER, AND THEN SENIOR MEMBER, DISTINGUISHED, A FELLOW, AND A

01:32PM **2** SENIOR FELLOW, AND THAT'S THE HIGHEST TITLE WE HAVE AT TI

01:32PM **3** TODAY, AND WE HAVE FIVE SENIOR FELLOWS IN THE WHOLE COMPANY.

01:32PM **4** Q. WHAT'S THE HIGHEST TITLE? I'M SORRY.

01:32PM **5** A. IT'S A SENIOR FELLOW, TEXAS INSTRUMENTS SENIOR FELLOW, AND

01:32PM **6** WE HAVE FIVE SENIOR FELLOWS AT TEXAS INSTRUMENTS TODAY.

01:32PM **7** Q. AND HOW MANY EMPLOYEES ARE THERE AT TEXAS INSTRUMENTS?

01:32PM **8** A. THERE'S ABOUT 30,000 EMPLOYEES.

01:32PM **9** Q. AND DR. HAROUN, YOU ALSO SAID THAT YOU WERE THE DIRECTOR

01:32PM **10** OF THE EMBEDDED SYSTEMS LABORATORY. WHAT DOES THAT MEAN, SIR?

01:32PM **11** A. WE HAVE A BUSINESS UNIT CALLED EMBEDDED PROCESSING.

01:32PM **12** INSIDE THAT BUSINESS UNIT, WE BUILD DIGITAL SIGNAL PROCESSORS,

01:32PM **13** MICRO CONTROLLERS, WIRELESS CONNECTIVITY CHIPS.

01:32PM **14** AND IT HAS A RESEARCH LABORATORY CALLED EMBEDDED SYSTEM

01:32PM **15** LABORATORY, AND I DIRECT ALMOST 48 PH.D.'S INTO THAT LAB TO

01:32PM **16** DEVELOP FUTURE GENERATION SYSTEMS, NEW ARCHITECTURES, SOFTWARE

01:33PM **17** THAT RUNS ON THESE PROCESSORS TO DO FUNCTIONS, DIFFERENT

01:33PM **18** FUNCTIONS FROM IMAGING FUNCTIONS TO RADAR AND OTHER THINGS LIKE

01:33PM **19** THAT.

01:33PM **20** Q. WHAT TYPE OF PH.D.'S DO THESE EMPLOYEES HAVE?

01:33PM **21** A. THESE ARE TYPICALLY PH.D.'S OF ELECTRICAL ENGINEERING,

01:33PM **22** DEPARTMENTS ACROSS THE COUNTRY AND WORLDWIDE.

01:33PM **23** Q. AND CAN YOU PLEASE TELL US A LITTLE BIT ABOUT YOUR

01:33PM **24** EDUCATIONAL BACKGROUND, DOCTOR.

01:33PM **25** A. OKAY. I GOT BY BACHELOR'S AND MASTER'S FROM A UNIVERSITY

UNITED STATES COURT REPORTERS

01:34PM **1** PHASE LOOKED LOOP, IT IS A BLOCK THAT GENERATES A NUMBER OF

01:34PM **2** EDGES THAT ARE AN INTEGER, TYPICALLY AN INTEGER MULTIPLE OF

01:34PM **3** INPUT EDGES THAT ARE CALLED REFERENCE CLOCK.

01:34PM **4** SO A REFERENCE CLOCK GENERATES EQUAL TIME, EQUAL TIME TO

01:34PM **5** THE EDGES, WHAT'S CALLED GOING UP AND DOWN. ONE OF THOSE

01:35PM **6** EDGES -- SO THEY HAVE A CONSTANT TIME.

01:35PM **7** THEN THE PHASE LOCK LOOP IS A BLOCK THAT FILLS IN BETWEEN

01:35PM **8** THESE EDGES AN EXTRA NUMBER OF -- WHAT WE CALL AN INTEGER

01:35PM **9** NUMBER, LIKE AN EXTRA TEN EDGES IN THE MIDDLE, EQUAL SPACE.

01:35PM **10** SO THE OUTPUT CLOCK WOULD BE A MULTIPLE OF THE INPUT

01:35PM **11** CLOCK.

01:35PM **12** AS PART OF THE PHASE LOCK LOOP, YOU CAN ALSO DIVIDE THE

01:35PM **13** INPUT CLOCK OR DIVIDE THE OUTPUT CLOCK SO YOU CAN ACTUALLY

01:35PM **14** MULTIPLY BY TEN OR DIVIDE BY THREE. SO YOU GET THE INTEGER

01:35PM **15** RATIOS, SO 10 DIVIDED BY 3, A MULTIPLICATION OF THE INPUT

01:35PM **16** THROUGHOUT.

01:35PM **17** Q. IS THERE AN OUTPUT OF A PLL?

01:35PM **18** A. THERE IS TYPICALLY MULTIPLE OUTPUTS. THE MOST IMPORTANT

01:35PM **19** ONE IS CALLED A CLOCK OUTPUT, WHICH IS A SIGNAL THAT GENERATES

01:35PM **20** EVENTS OF EQUAL TIME SPACING AT A KNOWN FREQUENCY.

01:35PM **21** Q. IS THAT A CLOCK OUTPUT TO CLOCK A CPU?

01:35PM **22** A. TYPICALLY IT IS USED TO CLOCK PROCESSORS. IT COULD CLOCK

01:35PM **23** OTHER MODULES, TOO, BUT TYPICALLY IT'S USED TO CLOCK

01:35PM **24** PROCESSORS.

01:35PM **25** Q. AND DO BOTH OF THE TI OMAP CHIPS THAT YOU'VE BEEN

UNITED STATES COURT REPORTERS

01:33PM **1** IN CAIRO, EGYPT CALLED AIN SHAMS UNIVERSITY; AND THEN I WENT TO

01:33PM **2** GET MY DEGREE AND MY PH.D. FROM THE UNIVERSITY OF WATERLOO IN

01:33PM **3** ONTARIO, CANADA. I GRADUATED IN 1990 FROM UNIVERSITY OF

01:33PM **4** WATERLOO WITH A PH.D.

01:33PM **5** AND I GOT EMPLOYED IN UNIVERSITY OF CONCORDIA IN MONTREAL

01:33PM **6** FOR ALMOST SIX YEARS FROM VERY LATE 1989 BEFORE I GOT GRANTED

01:33PM **7** THE PH.D. UNTIL I ACTUALLY GOT PROMOTED TO ASSOCIATE PROFESSOR

01:33PM **8** AND GOT TENURE, AND I WENT TO TEXAS INSTRUMENTS TO WORK THERE

01:33PM **9** ON A SABBATICAL FROM UNIVERSITY AND I ACTUALLY DECIDED TO

01:34PM **10** CONTINUE WORKING FOR TEXAS INSTRUMENTS FROM THAT TIME ON.

01:34PM **11** Q. AND I BELIEVE COUNSEL ASKED YOU ABOUT THE TI OMAP CHIPS

01:34PM **12** THAT ARE AT ISSUE IN THIS CASE. DO YOU RECALL GENERALLY THAT

01:34PM **13** TESTIMONY?

01:34PM **14** A. THAT'S CORRECT, YES.

01:34PM **15** Q. AND THERE'S THE 730 AND THE 850. DO YOU RECALL THAT?

01:34PM **16** A. YES.

01:34PM **17** Q. AND YOU'VE READ THE, I BELIEVE, THE TECHNICAL

01:34PM **18** DOCUMENTATION RELATING TO BOTH OF THOSE CHIPS?

01:34PM **19** A. YES, I LOOKED AT THE TECHNICAL REFERENCE MANUAL ONLY

01:34PM **20** RECENTLY ACTUALLY.

01:34PM **21** Q. AND COUNSEL ASKED YOU A NUMBER OF QUESTIONS ON THIS, ON

01:34PM **22** PLL'S. DO YOU RECALL THAT?

01:34PM **23** A. THAT'S CORRECT, YES.

01:34PM **24** Q. WHAT'S A PLL IN VERY GENERAL TERMS?

01:34PM **25** A. THE PHASE LOCKED LOOP, IT'S AN ACRONYM THAT STANDS FOR

UNITED STATES COURT REPORTERS

01:36PM **1** DISCUSSING TODAY INCLUDE A PLL?

01:36PM **2** A. YES, THEY DO.

01:36PM **3** Q. IF WE COULD TURN TO EXHIBIT 3115, WHICH IS THE 730

01:36PM **4** REFERENCE MANUAL THAT YOU WERE ASKED ABOUT, IT JUST HAS A

01:36PM **5** DIFFERENT EXHIBIT NUMBER ON IT.

01:36PM **6** A. OKAY.

01:36PM **7** Q. AND WE CAN -- LET'S TURN TO PAGE 5-6. AND SPECIFICALLY IF

01:36PM **8** WE CAN BLOW UP 5.2.2.1.

01:36PM **9** CAN YOU SEE THAT ON YOUR SCREEN, DOCTOR?

01:36PM **10** A. YES, I CAN, YES.

01:36PM **11** Q. AND THIS REFERS TO DPLL MODES?

01:36PM **12** A. YES.

01:36PM **13** Q. AND WHAT'S A DPLL MODE IN JUST VERY HIGH LEVEL, GENERAL

01:36PM **14** TERMS?

01:36PM **15** A. IT'S A DIGITAL PLL. IT DESCRIBES THE WAY THAT THE PLL

01:36PM **16** WORKS. ITS CONTROL IS DONE IN A DIGITAL MANNER VERSUS AN

01:36PM **17** ANALOG MANNER. THESE ARE THE TWO MAJOR TYPES.

01:36PM **18** Q. OKAY. AND DOES THE DPLL IN THESE TWO TI OMAP CHIPS HAVE

01:36PM **19** AN OUTPUT?

01:36PM **20** A. YES, THEY DO.

01:37PM **21** Q. AND WHAT'S THAT OUTPUT USED FOR?

01:37PM **22** A. THE OUTPUT IS USED TO CLOCK PROCESSORS. IN THE CASE OF

01:37PM **23** DPLL1, IT CLOCKS MPU SUBSYSTEM.

01:37PM **24** Q. AND THAT MPU SUBSYSTEM INCLUDES A CPU?

01:37PM **25** A. YES, IT DOES.

UNITED STATES COURT REPORTERS

01:37PM **1** Q. AND DOES THE OUTPUT -- EXCUSE ME. STRIKE THAT.

01:37PM **2** IS THE OUTPUT FREQUENCY FROM THE DPLL STABLE?

01:37PM **3** A. THAT IS PART OF THE SPECIFICATION. IN OTHER WORDS, THE

01:37PM **4** OUTER CLOCK IS ALWAYS KNOWN TO HAVE A KNOWN VALUE WITHIN A

01:37PM **5** TIGHT RANGE. THAT'S HOW THE SPECIFICATION ON THE PLL IS

01:37PM **6** DEVELOPED.

01:37PM **7** SO YES, THE ANSWER IS CORRECT, IT'S STABLE. IT'S A KNOWN

01:37PM **8** VALUE.

01:37PM **9** Q. AND THEN, DOCTOR, IF YOU CAN SEE, THERE'S A REFERENCE TO

01:37PM **10** CK UNDERScore --

01:37PM **11** A. REF.

01:37PM **12** Q. -- REF RIGHT THERE (INDICATING)?

01:37PM **13** A. YES.

01:37PM **14** Q. WHAT DOES THAT REFER TO, DOCTOR?

01:37PM **15** A. THAT IS TYPICALLY A CLOCK REFERENCE. THIS IS A KNOWN

01:38PM **16** REFERENCE THAT COMES FROM OFF CHIP, FROM A KNOWN -- TYPICALLY A

01:38PM **17** CRYSTAL OR ANOTHER CLOCK SOURCE OF A KNOWN HIGH ACCURACY.

01:38PM **18** Q. AND WHAT DO YOU MEAN THAT IT COMES FROM OFF CHIP?

01:38PM **19** A. YOU NEED A SPECIAL PROCESS TECHNOLOGY, LIKE A CRYSTAL, TO

01:38PM **20** HAVE THE ACCURACY ASSOCIATED WITH IT. TYPICALLY IT'S DONE IN A

01:38PM **21** VERY TIGHT SUB.1 PERCENT, A THOUSAND PPL OR A HUNDRED PART PER

01:38PM **22** MILLION ACCURACY.

01:38PM **23** SO THESE ARE BUILT AS CRYSTALS AND THEY GET CONNECTED TO

01:38PM **24** THEIR OWN OSCILLATORS AND THEY GENERATE THESE EDGES THAT ARE

01:38PM **25** VERY ACCURATE TIME INTERVALS.

UNITED STATES COURT REPORTERS

01:38PM **1** SO THEY'RE OFF CHIP, YES.

01:38PM **2** Q. AND DOCTOR, DO YOU CONSIDER A CRYSTAL TO BE ACCURATE?

01:38PM **3** A. IT IS DESIGNED TO BE ACCURATE, YES.

01:38PM **4** Q. THAT'S THE POINT OF A CRYSTAL? IS THAT FAIR?

01:38PM **5** A. YES, CORRECT.

01:38PM **6** Q. AND CAN YOU TELL FROM THESE, FROM THIS PAGE, DOCTOR, THIS

01:38PM **7** LITTLE BLOW UP --

01:38PM **8** A. YES.

01:38PM **9** Q. -- HOW YOU CAN DETERMINE THE OUTPUT OF THE DPLL'S?

01:39PM **10** A. YES. IT IS -- THE OUTPUT OF THE PLL HAS TWO PHASES. ONE

01:39PM **11** IS CALLED BYPASS MODE AND THE SECOND ONE IS CALLED LOCK MODE.

01:39PM **12** THESE ARE THE TWO PHASES THAT DESCRIBE THE OUTPUT OF THE PLL.

01:39PM **13** Q. LET'S GO THROUGH THE BYPASS MODE QUICKLY. WHAT DOES THAT

01:39PM **14** REFER TO?

01:39PM **15** A. IN THE BYPASS MODE, WHAT IT REFERS TO IS THAT THE OUTPUT

01:39PM **16** OF A PLL IS PRODUCED, IS TAKING THE INPUT, WHICH IS CALLED A

01:39PM **17** CLOCK REFERENCE, AND EITHER AS IT IS OR DIVIDED BY A FACTOR OF

01:39PM **18** 2, MEANING SKIPPING EVERY SECOND EDGE, OR DIVIDED BY 4, MEANING

01:39PM **19** PICKING EVERY FOURTH EDGE, AND TAKING IT AND PRODUCING IT AND

01:39PM **20** PUTTING IT IN ITSELF. SO IT'S BYPASSED.

01:39PM **21** Q. OKAY. AND SO CAN YOU TELL IN THIS BYPASS MODE,

01:39PM **22** DR. HAROUN, WHETHER THE OUTPUT THAT CLOCKS, I BELIEVE YOU SAID

01:39PM **23** THE MPU, IS RELATED TO THIS CK_REF?

01:40PM **24** A. YES, IT IS RELATED EITHER EQUAL OR DIVIDED BY 2 OR DIVIDED

01:40PM **25** BY 4 AS THE STATEMENT SAYS THERE.

UNITED STATES COURT REPORTERS

01:40PM **1** Q. WOULD YOU CONSIDER THAT RELATIONSHIP A MATHEMATICAL

01:40PM **2** RELATIONSHIP?

01:40PM **3** A. IT IS AN ACCURATE, AN EXACT DIVIDED BY 2 OR EXACT DIVIDED

01:40PM **4** BY 4 IN THE FREQUENCY.

01:40PM **5** Q. SO WOULD YOU CONSIDER IT A FORMULA?

01:40PM **6** A. YES, IT IS.

01:40PM **7** Q. AND SO MAY I --

01:40PM **8** YOUR HONOR, MAY I APPROACH AND PUT UP THE --

01:40PM **9** THE COURT: YOU MAY, MR. SMITH.

01:40PM **10** MR. SMITH: THANK YOU, YOUR HONOR. PARDON ME.

01:40PM **11** (PAUSE IN PROCEEDINGS.)

01:40PM **12** MR. SMITH: BECAUSE THIS IS CONFIDENTIAL INFORMATION,

01:40PM **13** I THINK WE MAY HAVE TO PUT IT IN A WAY --

01:40PM **14** THE COURT: GO AHEAD. AND IF TPL OR PATRIOT NEEDS TO

01:40PM **15** STEP UP TO SEE WHAT'S ON THE BOARD, YOU HAVE MY PERMISSION AS

01:40PM **16** WELL.

01:40PM **17** MR. CARMACK: THANK YOU.

01:40PM **18** MR. SMITH: AND, YOUR HONOR, CAN I INVITE THE WITNESS

01:40PM **19** TO COME DOWN AND USE THE EASEL?

01:40PM **20** THE COURT: YOU MAY. YOU MAY STEP DOWN, DOCTOR.

01:41PM **21** MR. SMITH: IF YOU COULD PUT THAT BACK UP, 5.1.

01:41PM **22** Q. AND DOCTOR --

01:41PM **23** AND YOUR HONOR, MAY I HAVE A LITTLE FREEDOM TO GO LOOK AT

01:41PM **24** THAT?

01:41PM **25** THE COURT: YOU HAVE SOME LATITUDE.

UNITED STATES COURT REPORTERS

01:41PM **1** MR. SMITH: THANK YOU.

01:41PM **2** Q. AND SO, DOCTOR, I BELIEVE WE WERE TALKING ABOUT THE BYPASS

01:41PM **3** MODE.

01:41PM **4** A. THAT'S CORRECT.

01:41PM **5** Q. AND THAT THERE'S A RELATIONSHIP BETWEEN THE REFERENCE

01:41PM **6** INPUT THAT COMES FROM THE CRYSTAL AND THE OUTPUT THAT COMES OUT

01:41PM **7** OF THE DPLL IN THIS MODE.

01:41PM **8** A. YES.

01:41PM **9** Q. DO YOU RECALL THAT?

01:41PM **10** A. YES.

01:41PM **11** Q. COULD YOU -- COULD YOU WRITE OUT THAT MATHEMATICAL FORMULA

01:41PM **12** FOR US, DOCTOR?

01:41PM **13** A. SO WHAT WE WOULD DO IS THE FREQUENCY OF THE --

01:41PM **14** Q. DOCTOR, CAN I STOP YOU ONE MOMENT SO THAT THE JUDGE CAN

01:41PM **15** SEE, TOO, AND THE COURT REPORTER?

01:41PM **16** A. OKAY. SO THE FREQUENCY OF THE OUTPUT WOULD BE EQUAL TO

01:41PM **17** THE FREQUENCY OF -- AND HERE THE SIGNAL IS CALLED THE CLOCK

01:42PM **18** REFERENCE, SO I'M GOING TO PUT A DASH CLOCK REFERENCE

01:42PM **19** (INDICATING).

01:42PM **20** AND THIS MEANS THE FREQUENCY, WHICH IS THE RATES OF EDGES

01:42PM **21** THAT COME OUT OF THE CLOCK REFERENCE (INDICATING).

01:42PM **22** SO THE OUTPUT IS EQUAL TO THE FREQUENCY OF THE CLOCK

01:42PM **23** REFERENCE DIVIDED BY, AND EITHER 2 OR, LIKE IT SAYS IN HERE,

01:42PM **24** DIVIDED BY 4 (INDICATING).

01:42PM **25** SO IN ONE CASE, DEPENDING ON THE SETS OF THE BITS, IT

UNITED STATES COURT REPORTERS

01:42PM **1** COULD BE THIS EQUATION, THIS WOULD BE CASE A (INDICATING); CASE
 01:42PM **2** B, IT COULD BE THE FREQUENCY OF CLOCK REFERENCE DIVIDED BY 4
 01:42PM **3** (INDICATING).
 01:42PM **4** I THINK THE FIRST CASE IT SAYS IT COULD BE INPUT REFERENCE
 01:42PM **5** CLOCK OR THE CLOCK REV BY 2 OR THE CLOCK REV BY 4 (INDICATING).
 01:42PM **6** I BELIEVE THAT'S CORRECT. YES.
 01:42PM **7** SO IT COULD BE ALSO THE LAST ONE, THE FIRST ONE ON THIS
 01:42PM **8** STATEMENT, COULD BE NOT EXACTLY EQUAL TO THE FREQUENCY OF THE
 01:42PM **9** CLOCK REV. SO THE OUTPUT IS EXACTLY EQUAL TO IT. IT'S THE
 01:42PM **10** BYPASS.
 01:42PM **11** Q. IS THAT --
 01:43PM **12** A. THESE ARE THE THREE OPTIONS. THIS COMES FIRST
 01:43PM **13** (INDICATING). IT'S ONE OF THOSE THREE IS WHAT IS BEING SAID.
 01:43PM **14** Q. THANK YOU, DOCTOR.
 01:43PM **15** SO C, IT WOULD BE AN ACCURATE STATEMENT TO SAY THAT IT'S
 01:43PM **16** DIRECTLY FROM CLOCK -- THE CK_REF IS DIRECTLY CLOCKING THE CPU?
 01:43PM **17** A. YES. AND THE REASON WHY I MENTION FREQUENCY, BECAUSE WHAT
 01:43PM **18** IS -- WHAT MATTERS IS EXACT TIME BETWEEN THE EDGES ARE OR
 01:43PM **19** GREATER THAN THE EDGES. THAT'S REALLY WHAT IS BEING PASSED ON.
 01:43PM **20** Q. AND AGAIN, JUST SO WE'RE CLEAR, CK_REF, WHAT DOES THAT
 01:43PM **21** REFER TO?
 01:43PM **22** A. CK_REF IS THE INPUT SIGNAL THAT IS COMING FROM THE
 01:43PM **23** REFERENCE CRYSTAL OR THE REFERENCE INPUT CRYSTAL, THE INPUT
 01:43PM **24** CRYSTAL THAT GENERATES THE REFERENCE CLOCK.
 01:43PM **25** Q. DR. HAROUN, IF YOU WOULD BE SO KIND, COULD YOU JUST PUT ON

UNITED STATES COURT REPORTERS

01:43PM **1** THE TOP OF THAT BYPASS MODE SO WE KNOW WHICH IT IS?
 01:43PM **2** A. YES, THIS ONE IS BYPASS (INDICATING). THIS IS WHAT WE
 01:43PM **3** WERE DISCUSSING. THAT'S THE FIRST OF THEM.
 01:43PM **4** Q. NOW, LET'S TALK ABOUT LOCK MODE, AND IF YOU DON'T MIND TO
 01:43PM **5** FLIP OPEN THE -- FLIP OVER THE CHART.
 01:43PM **6** A. OKAY.
 01:44PM **7** Q. AND LET ME ASK YOU THE SAME QUESTIONS.
 01:44PM **8** THERE'S A CK_REF THAT'S REFERRED TO. WHAT IS THAT
 01:44PM **9** REFERRING TO RIGHT HERE?
 01:44PM **10** A. THE CK_REF IN HERE, THAT'S THE SAME AS THIS ONE HERE
 01:44PM **11** (INDICATING). IT'S THE CLOCK REFERENCE THAT IS THE INPUT TO
 01:44PM **12** THE PLL COMING FROM THE REFERENCE, FROM THE REFERENCE SIGNAL,
 01:44PM **13** THE CRYSTAL.
 01:44PM **14** Q. AND IS THERE AN OUTPUT FROM THE DPLL IN THE TI OMAP CHIPS
 01:44PM **15** IN LOCK MODE?
 01:44PM **16** A. YES. THIS IS OUTPUT (INDICATING). IT'S THE SAME CLOCK
 01:44PM **17** OUTPUT THAT THAT CLOCK OUTPUT IS THE OUTPUT FROM THE PLL.
 01:44PM **18** Q. AND WHAT'S IT USED TO CLOCK?
 01:44PM **19** A. IT IS CLOCKING THE MPU SUBSYSTEM IN THE CASE OF DPLL.
 01:44PM **20** Q. AND DOES THAT INCLUDE A CPU?
 01:44PM **21** A. YES, THAT INCLUDES A CPU.
 01:44PM **22** Q. AND IS THERE A MATHEMATICAL RELATIONSHIP BETWEEN THE
 01:44PM **23** CK_REF AND THE OUTPUT OF THE DPLL IN LOCK MODE FOR THE TI OMAP
 01:44PM **24** CHIPS?
 01:44PM **25** A. THAT'S CORRECT. THERE IS -- THE RELATIONSHIP IS MULTIPLE,

UNITED STATES COURT REPORTERS

01:45PM **1** WHICH IS THE M FACTOR HERE WITH THE DECLARE, WHICH COULD BE A
 01:45PM **2** NUMBER SET BY REGISTER BETWEEN 1 AND 31, SO 1, 2, 3, 4, ALL THE
 01:45PM **3** WAY UP TO 31 ARE OPTIONS.
 01:45PM **4** AND IT'S DIVIDED DOWN BY AN INTEGER THAT IS -- SO THE
 01:45PM **5** INPUT CLOCK REFERENCE IS M EQUALS 31 AND 1 LESS THAN N LESS
 01:45PM **6** THAN 4, SIGNAL EQUALS 4 IS THE OTHER INTEGER (INDICATING).
 01:45PM **7** AND, YEAH, AND SO BASICALLY THE OUTPUT CLOCK RANGE IS THE
 01:45PM **8** MINIMUM OF CLOCK REFERENCE BY 4 TO 31 TIMES CLOCK REFERENCE.
 01:45PM **9** THIS IS THE MAXIMUM AND MINIMUM OF WHAT THE PLL WOULD DO.
 01:45PM **10** THIS TYPICALLY IS WHAT YOU CALL THE INPUT DIVIDER AND THIS
 01:45PM **11** TYPICALLY IS CALLED THE FEEDBACK (INDICATING). BUT M IS THE
 01:45PM **12** FEEDBACK DIVIDER VALUE, AND N IS THE INPUT DIVIDER NUMBER.
 01:45PM **13** AND BOTH OF THESE SET THE MATHEMATIC EQUATION THAT USES
 01:45PM **14** THESE TWO EXTREME VALUES. THIS IS THE MINIMUM POSSIBLE VALUE
 01:46PM **15** THAT CAN BE PRODUCE, AND THAT'S THE MAXIMUM POSSIBLE VALUE OUT
 01:46PM **16** OF THESE NUMBERS (INDICATING).
 01:46PM **17** Q. DOCTOR, DOES THIS FORMULA IN THE LOCK MODE REQUIRE THE
 01:46PM **18** CK_REF?
 01:46PM **19** A. YES, OF COURSE. IT'S A MULTIPLE, SO THE EXACT OUTPUT IS A
 01:46PM **20** MULTIPLE OF THE CLOCK FREQUENCY, IN THIS CASE IT'S 31 TIMES THE
 01:46PM **21** CLOCK REFERENCE, THAT'S THE HIGHEST POSSIBLE (INDICATING), AND
 01:46PM **22** HERE THE MINIMUM IS THE CLOCK REFERENCE DIVIDED, BEING SLOWED
 01:46PM **23** DOWN BY A FACTOR OF 4, EVERY FOURTH PAGE (INDICATING), WHERE
 01:46PM **24** HERE FOR EVERY EDGE YOU GET FROM THE CLOCK REFERENCE, YOU
 01:46PM **25** ACTUALLY FILL IN 31, 30 EXTRA EDGES, SO A TOTAL OF 31 EDGES FOR

UNITED STATES COURT REPORTERS

01:46PM **1** THE CLOCK (INDICATING).
 01:46PM **2** Q. AND DOCTOR, DO YOU MIND PUTTING UP THAT FORMULA FOR THE
 01:46PM **3** LOCK MODE OF THE DPLL IN THE TI OMAP CHIPS?
 01:46PM **4** A. OKAY. SO THE FREQUENCY OF THE OUTPUT WOULD BE EQUAL TO,
 01:46PM **5** AND HERE IT'S ACTUALLY BECAUSE ALL OF THE MOST WE ARE
 01:46PM **6** REPRESENTED BY A MATHEMATIC EQUATION, IT WOULD BE THE FREQUENCY
 01:46PM **7** OF THE CK_REF AND IT IS GOING TO BE MULTIPLIED THE INTEGER M IN
 01:47PM **8** HERE DIVIDED BY THE INPUT N (INDICATING). AND THAT IS THE
 01:47PM **9** EQUATION.
 01:47PM **10** SO THIS IS THE EQUATION OF THE CLOCK OUTPUT AS A
 01:47PM **11** FUNCTION -- THE FREQUENCY OF THE CLOCK OUTPUT AS A FUNCTION OF
 01:47PM **12** THE FREQUENCY OF THE CLOCK INPUT, WHICH IS CALLED CLOCK
 01:47PM **13** REFERENCE, MULTIPLIED BY AN INTEGER CALLED M AND ANOTHER
 01:47PM **14** INTEGER CALLED N (INDICATING).
 01:47PM **15** AND HERE M (INDICATING), AND I'M REPEATING HERE FROM THIS
 01:47PM **16** SPECIFICATION, EQUALS, IT COULD BE ANY NUMBER BETWEEN 1 AND --
 01:47PM **17** ACTUALLY, I SHOULD WRITE IT, STRIKE THAT, BIGGER THAN 1 OR
 01:47PM **18** EQUAL OR LESS THAN UP TO 31 (INDICATING). SO THIS M CAN BE 1,
 01:47PM **19** 2, 3 DEPENDING ON THE SETTING.
 01:47PM **20** AND THE N CAN BE ANY NUMBER BETWEEN 1 AND 4, WHICH I THINK
 01:47PM **21** IS WRITTEN DOWN HERE (INDICATING).
 01:47PM **22** SO N CAN BE EQUAL TO 1 OR IT CAN BE EQUAL TO 2 OR 3 OR 4,
 01:47PM **23** AND M CAN BE EQUAL TO 1, 2, OR 3, OR UP TO 31.
 01:48PM **24** Q. OKAY.
 01:48PM **25** A. SO IF YOU LOOK AT THIS EQUATION, IT HAS M AND N, THE

UNITED STATES COURT REPORTERS

EXHIBIT 15

1488

1490

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF CALIFORNIA
SAN JOSE DIVISION

HTC CORPORATION AND HTC AMERICA, INC.,
PLAINTIFF,
VS.
TECHNOLOGY PROPERTIES LIMITED,
PATRIOT SCIENTIFIC CORPORATION
AND ALLIACENSE LIMITED,
DEFENDANT.

TRANSCRIPT OF PROCEEDINGS
BEFORE THE HONORABLE PAUL S. GREWAL
UNITED STATES MAGISTRATE JUDGE

A P P E A R A N C E S :

FOR THE PLAINTIFF: COOLEY LLP
BY: HEIDI KEEFE
RON LEMIEUX
3175 HANOVER STREET
PALO ALTO, CALIFORNIA 94304

APPEARANCES CONTINUED ON NEXT PAGE

OFFICIAL COURT REPORTER: LEE-ANNE SHORTRIDGE, CSR, CRR
CERTIFICATE NUMBER 9595

PROCEEDINGS RECORDED BY MECHANICAL STENOGRAPHY
TRANSCRIPT PRODUCED WITH COMPUTER

UNITED STATES COURT REPORTERS

INDEX OF WITNESSES

DEFENDANTS'

MAC LECKRONE

DIRECT EXAM BY MR. CARMACK P. 1499
CROSS-EXAM BY MR. LEMIEUX P. 1503
REDIRECT EXAM BY MR. CARMACK P. 1506

GLORIA FELCYN

DIRECT EXAM BY MR. HOGE P. 1507
CROSS-EXAM BY MR. LEMIEUX P. 1513

VOJIN OKLOBDZIJA

DIRECT EXAM BY MR. MARSH P. 1515
CROSS-EXAM BY MR. SMITH P. 1530
REDIRECT EXAM BY MR. MARSH P. 1533

CLOSING ARGUMENT BY MR. OTTESON P. 1536

CLOSING ARGUMENT BY MS. KEEFE P. 1571

INDEX OF EXHIBITS

MARKED ADMITTED

PLAINTIFF'S

3009, 3016, 3026, 3034, 3035, 1493
3096, 3097, 3100, 3101, 3102,
3103, 3109, 3112, 3114, 3117,
3145, 3146, 3147, 3148, 3149,
3150, 3151, 3152, 3153, 3154,
3155, 3156, 3157, 3158, 3159,
3160, 3161, 3162, 3163, 3164,
3165, 3166, 3167, 3168, 3169,
3170, 3171, 3172, 3173, 3174,
3175, 3176, 3177, 3178, 3179,
3180, 3181, 3182, 3439

PDX-1002, PDX-1003 1495

UNITED STATES COURT REPORTERS

1489

APPEARANCES (CONTINUED)

FOR THE PLAINTIFF: COOLEY LLP
BY: STEPHEN R. SMITH
1299 PENNSYLVANIA AVENUE, NW
SUITE 700
WASHINGTON, D.C. 20004

BY: MATTHEW J. LEARY
380 INTERLOCKEN CRESCENT, SUITE 900
BROOMFIELD, COLORADO 80021

ALSO PRESENT: VINCENT LAM

FOR DEFENDANTS: AGILITY IP LAW
TPL AND BY: JAMES C. OTTESON
ALLIACENSE: IRVIN E. TYAN
THOMAS T. CARMACK
PHILIP W. MARSH
DAVID LANSKY
149 COMMONWEALTH DRIVE
MENLO PARK, CALIFORNIA 94025

FOR DEFENDANT: KIRBY, NOONAN, LANCE & HOGE
PATRIOT: CHARLES T. HOGE
35 TENTH AVENUE
SAN DIEGO, CALIFORNIA 92101

ALSO PRESENT: CHARLES MOORE
CLIFFORD FLOWERS
DAN LECKRONE
MACK LECKRONE

UNITED STATES COURT REPORTERS

1491

SAN JOSE, CALIFORNIA OCTOBER 1, 2013

P R O C E E D I N G S

(JURY OUT AT 1:06 P.M.)

THE COURT: GOOD AFTERNOON. MR. RIVERA, WOULD YOU

PLEASE CALL THE MATTER THAT'S BEEN SET FOR TRIAL.

THE CLERK: YES, YOUR HONOR. CALLING HTC

CORPORATION, ET AL VERSUS TECHNOLOGY PROPERTIES LIMITED, ET AL,

CASE NUMBER CV-08-882 PSG, MATTER ON FOR TRIAL.

COUNSEL, PLEASE STATE YOUR APPEARANCES.

MR. OTTESON: GOOD AFTERNOON, YOUR HONOR.

JIM OTTESON FROM AGILITY IP LAW REPRESENTING DEFENDANTS TPL AND

ALLIACENSE, ACCOMPANIED BY MANY OF MY COLLEAGUES AND CLIENTS.

MR. HOGE: GOOD AFTERNOON, YOUR HONOR. CHARLIE HOGE

FOR PATRIOT SCIENTIFIC.

THE COURT: MR. HOGE, MR. OTTESON, GOOD AFTERNOON.

MS. KEEFE: GOOD AFTERNOON, YOUR HONOR. HEIDI KEEFE

FOR COOLEY FOR HTC, ALONG WITH MY TEAM AND THE CLIENT.

THE COURT: GOOD AFTERNOON TO YOU AS WELL, MS. KEEFE.

MS. KEEFE: GOOD AFTERNOON.

THE COURT: ALL RIGHT. COUNSEL, BEFORE WE RESUME

MATTERS IN THIS TRIAL, ARE THERE ANY EVIDENTIARY ISSUES OR

OTHER MATTERS WE CAN ADDRESS?

MR. LEARY. IT IS THE AFTERNOON, SO I WASN'T EXPECTING TO

SEE YOU.

(LAUGHTER.)

UNITED STATES COURT REPORTERS

02:22PM **1** WELL, WHAT IS IT EXACTLY? LET'S LOOK AT CLAIM 6 IF WE

02:22PM **2** LOOK AT COLUMN 2, LINES 18 TO 20. IT SAYS HERE THAT "AN ENTIRE

02:22PM **3** OSCILLATOR DISPOSED UPON SAID INTEGRATED CIRCUIT SUBSTRATE,"

02:23PM **4** THAT'S THE CHIP, "AND CONNECTED TO CENTRAL PROCESSING UNIT,

02:23PM **5** SAID OSCILLATOR CLOCKING SAID CENTRAL PROCESSING UNIT AT A

02:23PM **6** CLOCK RATE."

02:23PM **7** SO WHAT DOES THE EVIDENCE SHOW?

02:23PM **8** WELL, LET ME BACK UP. JUDGE GREWAL HAS ACTUALLY PUT AN

02:23PM **9** EXTRA GLOSS ON THE MEANING OF "ENTIRE OSCILLATOR," AND YOU'RE

02:23PM **10** GOING TO GET THIS IN YOUR JURY INSTRUCTIONS, TOO, SO LET'S TALK

02:23PM **11** ABOUT WHAT THAT IS.

02:23PM **12** SO IN YOUR JURY INSTRUCTIONS, AFTER CLOSING ARGUMENTS,

02:23PM **13** JUDGE GREWAL IS ALSO GOING TO TELL YOU THIS ABOUT WHAT "ENTIRE

02:23PM **14** OSCILLATOR" MEANS.

02:23PM **15** "THE TERM 'ENTIRE OSCILLATOR' IN CLAIMS 6 AND 13 IS

02:23PM **16** PROPERLY UNDERSTOOD TO EXCLUDE ANY EXTERNAL CLOCK USED TO

02:23PM **17** GENERATE THE SIGNAL USED TO CLOCK THE CPU."

02:23PM **18** OKAY. THIS GOES TO WHAT THEIR NON-INFRINGEMENT ARGUMENT

02:23PM **19** IS, SO WE'RE GOING TO TALK ABOUT IT RIGHT NOW.

02:23PM **20** BUT LET'S REVIEW THE EVIDENCE. FIRST OF ALL, AS I THINK

02:23PM **21** YOU HEARD A NUMBER OF TIMES FROM MR. DENA, FROM DR. HAROUN,

02:24PM **22** FROM TI, AND ALSO FROM MR. GAFFORD, THEY ADMIT THAT EVERY

02:24PM **23** SINGLE ACCUSED PRODUCT HAS A MICROPROCESSOR CHIP WITH AN

02:24PM **24** ON-CHIP RING OSCILLATOR IN A PLL THAT'S ON THE SAME SILICON

02:24PM **25** CHIP AS THE CPU.

UNITED STATES COURT REPORTERS

02:24PM **1** SO WE KNOW THAT.

02:24PM **2** AND ACCORDING TO MR. GAFFORD, THE RING OSCILLATORS IN

02:24PM **3** THESE PLL'S ARE USED TO CLOCK THE CPU.

02:24PM **4** SO THEN THE QUESTION BECOMES WHETHER THE EXTERNAL

02:24PM **5** REFERENCE CRYSTAL EITHER GENERATES THE SIGNAL USED TO CLOCK THE

02:24PM **6** CPU, OR WHETHER IT IS USED TO GENERATE THE SIGNAL THAT IS USED

02:24PM **7** TO CLOCK THE CPU.

02:24PM **8** AND THE ANSWER TO BOTH OF THOSE QUESTIONS IS AN EMPHATIC

02:24PM **9** NO.

02:24PM **10** SO LET'S START WITH THE FIRST QUESTION BY REVIEWING THE

02:24PM **11** EVIDENCE.

02:24PM **12** AND FOR THIS WE'RE GOING TO HAVE TO DARKEN THE BACK

02:25PM **13** SCREEN, JIM. THANK YOU.

02:25PM **14** THIS IS EXHIBIT 365, FIGURE 2-1 THAT DR. OKLOBDZIJA WAS

02:25PM **15** JUST TESTIFYING ABOUT, AND ALSO MR. GAFFORD TESTIFIED ABOUT IT

02:25PM **16** IN SOME DETAIL YESTERDAY.

02:25PM **17** SO LET'S TALK ABOUT HOW THIS WORKS. I THINK -- I THINK

02:25PM **18** NOW YOU'RE GETTING IT, BUT LET'S JUST REVIEW IT QUICKLY.

02:25PM **19** YOU'VE GOT THE RING OSCILLATOR HERE (INDICATING) THAT IS

02:25PM **20** GENERATING A CLOCK SIGNAL BY VIRTUE OF HAVING POWER BECAUSE

02:25PM **21** EACH ONE OF THE INVERTERS IN THE RING OSCILLATOR HAS POWER.

02:25PM **22** AND MR. DENA, YOU'LL RECALL, SAID THAT THE RING

02:25PM **23** OSCILLATORS GET AN INDEPENDENT POWER SUPPLY FROM THE CHIP'S

02:25PM **24** MAIN POWER.

02:25PM **25** SO THEY'RE OSCILLATING AT A VERY HIGH FREQUENCY. THE ONE

UNITED STATES COURT REPORTERS

02:25PM **1** THAT IS SELECTED AT ANY GIVEN TIME, THAT IS USED TO THEN CLOCK

02:25PM **2** THE CPU.

02:25PM **3** IN ADDITION, IN THE PLL, AS YOU KNOW, IT GOES DOWN TO THIS

02:25PM **4** DIVIDE THAT DIVIDED THAT VERY, VERY FAST, HIGH FREQUENCY CLOCK

02:26PM **5** SIGNAL DOWN TO, IN THE QUALCOMM CHIPS, 19.2 MEGAHERTZ

02:26PM **6** (INDICATING).

02:26PM **7** WHY? BECAUSE THAT'S THE FREQUENCY OF THE TCXO

02:26PM **8** (INDICATING), THE EXTERNAL REFERENCE CRYSTAL.

02:26PM **9** AND THE REASON THEY WANT THEM TO BE THE SAME FREQUENCY IS

02:26PM **10** BECAUSE THEY THEN WANT TO MATCH UP THOSE SQUARE WAVES, THEY

02:26PM **11** WANT TO MATCH UP THE EDGES OF THE SQUARE WAVES HERE IN THE

02:26PM **12** PHASE DETECTOR (INDICATING).

02:26PM **13** AND THEN WHAT HAPPENS? THEN WHAT DOES THE PLL DO WITH

02:26PM **14** THAT CLOCK SIGNAL? WELL, WHAT HAPPENS NEXT IS IT MAKES LITTLE

02:26PM **15** ADJUSTMENTS WITH THE CHARGE PUMP AND IT STORES CORRECTION

02:26PM **16** CHARGES HERE (INDICATING).

02:26PM **17** NOW, DO WE HAVE THAT 19.2 MEGAHERTZ CLOCK SIGNAL SQUARE

02:26PM **18** WAVE CONTINUING THROUGH TO THE RING OSCILLATORS (INDICATING)?

02:26PM **19** NO. NO WAY. THAT CLOCK SIGNAL FROM THE EXTERNAL REFERENCE IS

02:27PM **20** NOT USED TO GENERATE THE CLOCK SIGNAL OF THE RING OSCILLATORS.

02:27PM **21** IT STOPS.

02:27PM **22** WHAT GENERATES THE CLOCK SIGNAL FOR THESE CLOCK -- FOR

02:27PM **23** THESE RING OSCILLATORS IS POWER, AND THEY'VE GOT THAT, ALL FOUR

02:27PM **24** OF THEM HAVE THAT ALL THE TIME, EVEN THE ONES THAT AREN'T

02:27PM **25** SELECTED TO BE IN THE PLL AT ANY GIVEN TIME. THEY'RE ALWAYS

UNITED STATES COURT REPORTERS

02:27PM **1** GENERATING A CLOCK SIGNAL.

02:27PM **2** NOW, LET'S TAKE A LOOK AT DDX-24 IF WE COULD, PLEASE, AND

02:27PM **3** WE CAN TURN THE BACK SCREEN BACK ON.

02:27PM **4** AGAIN, THIS ILLUSTRATES THE POINT I WAS MAKING EARLIER.

02:27PM **5** AS WE'VE DISCUSSED, EACH OF THESE INVERTERS HAS POWER, AND IT

02:27PM **6** GETS IT FROM THE CHIP'S MAIN POWER SUPPLY.

02:27PM **7** NOW, IS THERE EXTRA VOLTAGE OR CURRENT THAT IS SUPPLIED TO

02:27PM **8** TRY TO REGULATE OR ADJUST THE SPEED? YES.

02:28PM **9** BUT THAT IS NOT GENERATING THE CLOCK SIGNALS.

02:28PM **10** SO THERE IS A DIFFERENCE, VERY IMPORTANT DIFFERENCE

02:28PM **11** BETWEEN GENERATING A CLOCK SIGNAL, WHICH THAT HAPPENS BECAUSE

02:28PM **12** THE RING OSCILLATORS HAVE POWER, THERE'S A BIG DIFFERENCE

02:28PM **13** BETWEEN THAT AND REGULATING OR LIMITING THE FREQUENCY OF THAT

02:28PM **14** CLOCK SIGNAL, BECAUSE FREQUENCY AND CLOCK SIGNAL ARE NOT THE

02:28PM **15** SAME. FREQUENCY IS A CHARACTERISTIC OF A CLOCK SIGNAL.

02:28PM **16** THAT IS WHAT THE CRYSTAL IS USED FOR. THE CRYSTAL IS USED

02:28PM **17** TO LIMIT OR REGULATE THE SPEED OF THE CLOCK SIGNAL THAT IS

02:28PM **18** GENERATED BY THE RING OSCILLATOR.

02:28PM **19** NOW, WE TALKED ABOUT MR. DENA, THAT HE TESTIFIED THAT IN

02:28PM **20** THE QUALCOMM CHIPS THEY'RE ALL POWERED AND THEY OSCILLATE AND

02:29PM **21** GENERATE A CLOCK SIGNAL ON THEIR OWN. THEY DON'T NEED AN

02:29PM **22** EXTERNAL REFERENCE CLOCK TO GENERATE A CLOCK SIGNAL.

02:29PM **23** SO, AGAIN, WHAT THAT EXTERNAL REFERENCE CRYSTAL IS USED

02:29PM **24** FOR -- ARE WE DARK ON THE BACK SCREEN? SORRY. -- AGAIN, WHAT

02:29PM **25** THAT EXTERNAL REFERENCE CRYSTAL IS USED FOR, IT IS USED AS A

UNITED STATES COURT REPORTERS

| | |
|---|--|
| <p style="text-align: center;">1552</p> <p>02:29PM 1 REFERENCE. IT IS A COMPARATOR. A COMPARISON IS MADE BETWEEN</p> <p>02:29PM 2 THIS (INDICATING) AND THAT HERE (INDICATING).</p> <p>02:29PM 3 AND THAT COMPARISON IS USED TO ADJUST THE SPEED, BUT NOT</p> <p>02:29PM 4 GENERATE THE CLOCK SIGNAL FROM THE RING OSCILLATOR.</p> <p>02:29PM 5 NOW, YESTERDAY I DISCUSSED --</p> <p>02:29PM 6 LET'S TAKE THAT DOWN. THANK YOU.</p> <p>02:29PM 7 YESTERDAY I DISCUSSED AN ANALOGY WITH MR. GAFFORD TO</p> <p>02:29PM 8 ILLUSTRATE WHAT THE CRYSTAL IS USED FOR, AND WE'RE GOING TO PUT</p> <p>02:30PM 9 UP A GRAPHIC HERE TO ILLUSTRATE THAT. THAT WAS IT. YEAH,</p> <p>02:30PM 10 THAT'S IT.</p> <p>02:30PM 11 THIS IS DDX-401. AS YOU CAN SEE, THERE IS A SPORTS CAR</p> <p>02:30PM 12 THAT IS TRAPPED GOING UP A HILL BEHIND AN RV, OR A MOTOR HOME,</p> <p>02:30PM 13 GOING UP A HILL, SOLID YELLOW LINE, CAN'T PASS. THE RV IS</p> <p>02:30PM 14 GOING, SAY, 50 MILES AN HOUR AND THAT SPORTS CAR WANTS TO GO</p> <p>02:30PM 15 FASTER THAN THAT.</p> <p>02:30PM 16 SO IS THE RV LIMITING THE SPEED OF THE SPORTS CAR? YES.</p> <p>02:30PM 17 IT'S LIMITING THE SPEED OF THE SPORTS CAR.</p> <p>02:30PM 18 BUT IS THE RV AND THE ENGINE IN THAT MOTOR HOME, IS THAT</p> <p>02:30PM 19 USED TO GENERATE THE CLOCK SIGNAL, OR THE ENGINE POWER FOR THE</p> <p>02:30PM 20 SPORTS CAR? NO WAY. NO WAY. THE SPORTS CAR HAS ITS OWN</p> <p>02:31PM 21 ENGINE, GENERATES ITS OWN POWER.</p> <p>02:31PM 22 SO WHAT THE RV IS DOING IS LIMITING THE SPEED THAT THE</p> <p>02:31PM 23 SPORTS CAR CAN GO, BUT IT'S NOT USED TO GENERATE THE POWER FOR</p> <p>02:31PM 24 THAT SPORTS CAR.</p> <p>02:31PM 25 AND INITIALLY YESTERDAY, AT LEAST INITIALLY, MR. GAFFORD</p> <p style="text-align: center;">UNITED STATES COURT REPORTERS</p> | <p style="text-align: center;">1554</p> <p>02:33PM 1 LET'S IMAGINE WE HAVE A VERY CALM AND CONSISTENT MOTOR HOME</p> <p>02:33PM 2 DRIVER WHO ALWAYS DRIVES AT 50 MILES AN HOUR AND YOU'RE STUCK</p> <p>02:33PM 3 BEHIND HIM."</p> <p>02:33PM 4 INCIDENTALLY, MY GRANDFATHER, HE DIDN'T HAVE A MOTOR HOME</p> <p>02:33PM 5 OR AN RV -- WELL, HE HAD A FIFTH WHEEL TRAILER AND HE TOWED IT</p> <p>02:33PM 6 WITH HIS CHEVY PICKUP ALL AROUND THE WESTERN UNITED STATES FOR</p> <p>02:33PM 7 ABOUT THE LAST 15 YEARS THAT HE AND MY GRANDMOTHER WERE ALIVE.</p> <p>02:33PM 8 AND I'LL TELL YOU, HE WAS VERY CONSISTENT. HE WAS A VERY SLOW,</p> <p>02:33PM 9 CONSISTENT DRIVER, SAFE IN HIS MIND, BUT TOO SLOW TO BE SAFE</p> <p>02:33PM 10 FOR A LOT OF OTHER PEOPLE.</p> <p>02:33PM 11 NOW -- I'M LOSING MY POWER HERE MYSELF.</p> <p>02:33PM 12 "SO THE MOTOR HOME IS NOT BEING USED TO GENERATE, BEING</p> <p>02:33PM 13 USED TO GENERATE THE POWER OF YOUR ALFA, IS IT? IT IS LIMITING</p> <p>02:33PM 14 THE SPEED OF YOUR ALFA, BUT IT IS NOT BEING USED TO GENERATE</p> <p>02:33PM 15 THE SPEED OF YOUR ALFA; RIGHT?"</p> <p>02:34PM 16 WELL, NOW HE DOESN'T LIKE THE ANALOGY ANYMORE. SO YOU SEE</p> <p>02:34PM 17 WHAT HE SAYS HERE? HE SAYS, "IT IS BEING USED TO GENERATE THE</p> <p>02:34PM 18 POWER BECAUSE I'M CONTROLLING THE AMOUNT OF POWER I GET OUT OF</p> <p>02:34PM 19 MY ENGINE IN RESPONSE TO THE MOTOR HOME."</p> <p>02:34PM 20 WELL, THAT IS JUST NOT TRUE. AND IT'S NOT TRUE WITH RING</p> <p>02:34PM 21 OSCILLATORS AND EXTERNAL REFERENCE CRYSTALS EITHER.</p> <p>02:34PM 22 LET'S TAKE THAT DOWN, PLEASE.</p> <p>02:34PM 23 NOW, THERE'S ANOTHER WAY TO LOOK AT WHAT THE EXTERNAL</p> <p>02:34PM 24 REFERENCE CRYSTAL DOES, AND THAT IS -- IF WE COULD HAVE</p> <p>02:34PM 25 DDX-403, PLEASE. OH, NO, THAT'S NOT IT. DDX-403. THERE YOU</p> <p style="text-align: center;">UNITED STATES COURT REPORTERS</p> |
| <p style="text-align: center;">1553</p> <p>02:31PM 1 AGREED WITH ME THAT THE MOTOR HOME WAS NOT GENERATING THE POWER</p> <p>02:31PM 2 FOR HIS SPORTS CAR WHEN HE WAS STUCK BEHIND IT, AND THAT -- AND</p> <p>02:31PM 3 HE ALSO AGREED INITIALLY THAT THE MOTOR HOME WAS NOT BEING USED</p> <p>02:31PM 4 TO GENERATE THE POWER FOR THE SPORTS CAR.</p> <p>02:31PM 5 LET'S LOOK AT WHAT HE SAID.</p> <p>02:31PM 6 OH, NO. WE NEED TO GO TO THE TESTIMONY, BILL. DO YOU</p> <p>02:31PM 7 HAVE THAT? THIS IS AT 1382, 1 THROUGH 14.</p> <p>02:31PM 8 OKAY. SO I SAID, "SO YOU'RE DRIVING YOUR ALFA, YOU'RE</p> <p>02:32PM 9 STUCK BEHIND THIS MOTOR HOME GOING 50. AT THAT POINT, WHICH</p> <p>02:32PM 10 VEHICLE IS GENERATING THE POWER FOR YOUR CAR? YOUR ALFA? OR</p> <p>02:32PM 11 THE MOTOR HOME?"</p> <p>02:32PM 12 HE SAYS, "MY ALFA IS GENERATING THE POWER FOR THAT CAR."</p> <p>02:32PM 13 AND THEN I COME DOWN HERE AND I SAY, HEY, "IN FACT, THAT</p> <p>02:32PM 14 MOTOR HOME IS NOT BEING USED TO GENERATE POWER FOR ALFA,</p> <p>02:32PM 15 EITHER, IS IT?"</p> <p>02:32PM 16 HE SAYS, "IT'S NOT BEING -- IT'S CERTAINLY NOT BEING USED</p> <p>02:32PM 17 TO GENERATE THE POWER. IT IS, HOWEVER, BEING USED TO GENERATE</p> <p>02:32PM 18 THE SIGNAL THAT TELLS ME HOW MUCH POWER I WANT TO GENERATE."</p> <p>02:32PM 19 YEAH, THAT'S RIGHT. THAT MOTOR HOME IS NOT BEING USED TO</p> <p>02:32PM 20 GENERATE THE POWER FOR HIS CAR, BUT IT IS A CUE TO HIM. SO IT</p> <p>02:32PM 21 DOES LIMIT HIS SPEED.</p> <p>02:32PM 22 BUT, AGAIN, HE DECIDED HE DIDN'T REALLY LIKE THE WAY THE</p> <p>02:32PM 23 QUESTIONS WERE GOING, SO LET'S SEE WHAT HE SAID ON THE NEXT</p> <p>02:32PM 24 PAGE AT 1382:20 TO 1383:13.</p> <p>02:33PM 25 SO HE SAID -- TAKE THE BACK ONE FIRST THERE, YEAH. "SO</p> <p style="text-align: center;">UNITED STATES COURT REPORTERS</p> | <p style="text-align: center;">1555</p> <p>02:34PM 1 GO. PERFECT.</p> <p>02:34PM 2 OKAY. SO YOU'VE SEEN THESE, RIGHT? THE "YOUR SPEED"</p> <p>02:34PM 3 SIGNS. THERE'S ONE BETWEEN -- JUST DOWN THE STREET FROM WHERE</p> <p>02:34PM 4 I LIVE AND THAT'S, THAT'S PRETTY GOOD, 32 IN A 25. I MEAN, I</p> <p>02:34PM 5 TRY TO KEEP CLOSE TO THAT, I GUESS.</p> <p>02:34PM 6 BUT THE POINT IS THAT WHAT THE "YOUR SPEED" COMBINED WITH</p> <p>02:35PM 7 THE SPEED LIMIT SIGN DOES IS IT'S A CUE, IT'S A SIGN TO YOU</p> <p>02:35PM 8 ABOUT NEEDING TO ADJUST YOUR SPEED. SO A SPEED LIMIT SIGN ON</p> <p>02:35PM 9 THE FREEWAY OR THE ROAD DOES THE SAME THING, BUT THIS ONE DOES</p> <p>02:35PM 10 IT A LITTLE BIT MORE.</p> <p>02:35PM 11 AND I'LL GIVE YOU THAT THE EXTERNAL REFERENCE CRYSTAL DOES</p> <p>02:35PM 12 A LITTLE BIT MORE. NOT ONLY DOES IT TELL YOU WHAT THE SPEED</p> <p>02:35PM 13 SHOULD BE, IT SAYS, "HEY, YOUR SPEED'S TOO HIGH. YOU SHOULD DO</p> <p>02:35PM 14 SOMETHING ABOUT IT."</p> <p>02:35PM 15 BUT THEN IF YOU THINK BACK TO THAT PLL, DOES THAT SIGNAL</p> <p>02:35PM 16 PROPAGATE ALL THE WAY THROUGH ALL THOSE COMPONENTS IN THE PLL?</p> <p>02:35PM 17 NO. IT'S USED FOR A COMPARISON AND THEN IT STOPS AT THE PHASE</p> <p>02:35PM 18 DETECTOR, JUST LIKE THIS "YOUR SPEED" SIGN SITUATION IS NOT</p> <p>02:35PM 19 USED TO GENERATE THE SPEED OR GENERATE -- NOT USED TO GENERATE</p> <p>02:35PM 20 THE POWER FOR YOUR CAR. IT ALLOWS YOU TO MODERATE YOUR SPEED,</p> <p>02:36PM 21 BUT IT IS NOT GENERATING THE POWER FOR YOUR CAR, JUST LIKE A</p> <p>02:36PM 22 RING OSCILLATOR HAS ITS OWN POWER SUPPLY AND THE EXTERNAL</p> <p>02:36PM 23 REFERENCE CRYSTAL IS NOT BEING USED TO GENERATE THAT CLOCK</p> <p>02:36PM 24 SIGNAL, EITHER. IT ONLY LIMITS IT.</p> <p>02:36PM 25 NOW, I WANT TO CONTRAST A COUPLE OF THESE ANALOGIES WITH</p> <p style="text-align: center;">UNITED STATES COURT REPORTERS</p> |

COOLEY LLP
HEIDI L. KEEFE (SBN 178960) (hkeefe@cooley.com)
MARK R. WEINSTEIN (SBN 193043) (mweinstein@cooley.com)
RONALD S. LEMIEUX (SBN 120822) (rlemieux@cooley.com)
KYLE D. CHEN (SBN 239501) (kyle.chen@cooley.com)
Five Palo Alto Square, 4th Floor
3000 El Camino Real
Palo Alto, California 94306-2155
Telephone: (650) 843-5000
Facsimile: (650) 857-0663

STEPHEN R. SMITH (*pro hac vice*) (stephen.smith@cooley.com)
One Freedom Square
Reston Town Center
11951 Freedom Drive
Reston, VA 20190-5656
Telephone: (703) 456-8000
Facsimile: (703) 456-8100

Attorneys for Plaintiffs
HTC CORPORATION and HTC AMERICA, INC.

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF CALIFORNIA
SAN JOSE DIVISION

HTC CORPORATION and HTC
AMERICA, INC.,

Plaintiffs,

v.

TECHNOLOGY PROPERTIES
LIMITED, PATRIOT SCIENTIFIC
CORPORATION and ALLIACENSE
LIMITED,

Defendants.

Case No. 5:08-cv-00882 PSG

[Related to Case No. 5:08-cv-00877 PSG]

**[PROPOSED] ORDER GRANTING
PLAINTIFFS' RENEWED MOTION FOR
ENTRY OF JUDGMENT AS A MATTER
OF LAW OF NON-INFRINGEMENT**

Complaint Filed: February 8, 2008

Trial Date: September 23, 2013

Having considered HTC Corporation and HTC America Inc.'s ("Plaintiffs") Renewed Motion for Entry of Judgment as a Matter of Law on Non-Infringement (Per Fed. R. Civ. P. 50(b)), the record in this case, and all related facts and circumstances, and good cause appearing

1 therefore, **IT IS HEREBY ORDERED THAT:**

2 Plaintiffs' Renewed Motion for Entry of Judgment as a Matter of Law on Non-
3 Infringement (Per Fed. R. Civ. P. 50(b)) is **GRANTED**. A final judgment of non-infringement of
4 U.S. Patent No. 5,809,336 in favor of Plaintiffs shall be entered.

5 **IT IS SO ORDERED.**

6
7 Dated: December ____, 2013

8
9

Hon. Paul S. Grewal
United States Magistrate Judge